

M. Puisis

restraints.

There are different ways to do that, one of which is require every inmate receive an examination before going into restraints. That's one option.

If the facility finds that it is better to use the intake exam sheet, then they should ask questions that specifically address the risk factors for Red ID restraints. And I'll give you a quick digression and example.

When the HIV epidemic began, at that time history and physical examination focused on the risk factors for HIV, and at that time into the intake screening examination questions appeared in many jurisdictions. In fact, now in almost all jurisdictions about risk factors for HIV, because this is the ~~popular~~ <sup>population</sup> we care for.

Those included did you have a transfusion? Do you share needles? Have you had sex with men? So the practitioners can identify those individuals who are at risk who may be at a higher risk for HIV.

In a similar vein, in whatever format you would choose to screen people, whether that is via

1 M. Puisis

2 A. You know, I've never seen them used at  
3 all, but I can tell you that I've seen impetigo  
4 transmitted inmate to inmate from contact, and it  
5 wasn't clear whether it was transmitted by contact  
6 via an object or whether it was transmitted directly  
7 from skin to skin.

8 Q. What is impetigo?

9 A. It's a ~~staph~~ skin infection and it's *as in staphylococcus*  
10 commonly transmitted by contact. Children get it.  
11 Wrestlers get it. And whether the bacteria can live  
12 inside the mitt is something I don't know, but it  
13 is, you know, likely.

14 And so, I think, that's why the  
15 manufacturer probably recommends sanitizing it.

16 Plus, you know, there are other bacteria  
17 that could be transmitted.

18 Q. Is there a reason that you didn't mention  
19 in your report the possibility of inmate pressure on  
20 medical staff?

21 A. In what context?

22 Q. Well, in the same context that you  
23 mentioned security staff pressure on medical staff.

24 A. No, there is no particular reason.

25 Q. Do you think age is a factor in whether

1 M. Puisis

2 A tonic phase is a phase where the  
3 muscles are contracted continually, and the clonic  
4 phase is where they are repetitively jerking.

5 These phases result in extreme  
6 contraction and a jerking of the muscles. And if  
7 those events ~~recur~~ <sup>occur</sup> while someone is in an unnatural  
8 position, that is with their arms behind your back,  
9 I would suspect that some of them can even break  
10 bones.

11 And I think it would be dangerous to do  
12 that, so unfortunately, the whole area of epilepsy  
13 is one where there is some amount of gaming and also  
14 it is an area that physicians typically do not take  
15 very good histories of, so it's a tough one, but I  
16 believe that an attempt should be made to identify  
17 those people who have true epilepsy.

18 Q. When you say "true epilepsy, "do you mean  
19 grand mal epilepsy?

20 A. Yes, but any type of epilepsy.

21 Q. Petty mal seizures do not involve any  
22 physical movement of the limbs, right?

23 A. It has to also do with the level of  
24 consciousness. If someone becomes unconscious,  
25 whether they sustain a tonic clonic phase is

UNITED STATES DISTRICT COURT  
SOUTHERN DISTRICT OF NEW YORK

-----X

JAMES BENJAMIN, et. al.,  
Plaintiffs

- against -

75 Civ. 3073  
(HB)

WILLIAM FRASER, et. al.,  
Defendants

**DIRECT TESTIMONY OF  
MICHAEL PUISIS, D.O.**

and related cases.

-----X

MICHAEL PUISIS, D.O. declares under penalty of perjury pursuant to 28 U.S.C. § 1746 that the following is true and correct:

1. I have been asked by the Legal Aid Society to give an opinion of the medical consequences of the use of the Red ID restraint procedure in the New York City jails related to Benjamin v. Fraser.

**QUALIFICATIONS AND BASIS OF OPINION**

2. As reflected in my attached curriculum vitae, I am a Doctor of Osteopathic Medicine. I graduated from Chicago College of Osteopathic medicine in 1982 and completed an Internal Medicine Residency at Cook County Hospital in 1985. In 1985, I became Board Certified in Internal Medicine. I am licensed to practice medicine in the State of Illinois. I have worked in correctional health care for 17 years. I was previously the Medical Director of the Cook County Jail in Chicago from 1991-1996. I have served as a consultant for the United States Department of Justice, and a number of other organizations on matters of correctional health care. I have



drafted policies and procedures for correctional systems and hospitals regarding the use of restraints on mental health patients and regarding the shackling of prisoners held in hospital wards. I edited the only textbook on correctional medicine, *Clinical Practice in Correctional Medicine*. I am a member of the National Commission on Correctional Health Care's Physician Panel on Clinical Practice and I served on the task force to revise the American Public Health Association Correctional Standards in 1999. I currently work as a consultant in correctional health care.

3. In order to render an opinion in this matter, I relied on my professional education, training and experience over my approximately seventeen years in correctional health care. I reviewed a number of documents, a list of which is attached to my testimony. In addition, I conducted a tour of the Bronx Supreme Court and Criminal Court buildings on April 18, 2002, accompanied by Chief Steven Conry, Eugene Miller, John Boston, Florence Hutner and other Department of Correction staff. During the tour, I inspected the manner in which persons confined by Red ID restraints are transported to the court and the manner in which they are held in holding cells pending their appearance in court. I spoke with prisoners who were restrained in the Bronx Supreme and Criminal court pens. I also visited the intake area of the George R. Vierno Center on Rikers Island to inspect the holding areas where Red ID persons are held prior to transport to court. I was not permitted to speak with any of the physicians or other medical staff who are responsible for developing policy, supervising the Red ID program, or monitoring the medical conditions of inmates who are restrained by the Red ID method. Lastly, both Gene Miller and I had the Red ID restraints placed on us in the manner that is used for inmates.

## **OBSERVATIONS, FINDINGS AND OPINIONS**

4. It is my opinion that the Red ID restraint practice causes substantial pain to prisoners who are subjected to it, and additionally poses a significant risk of neurological, musculo-skeletal, vascular, and other injuries (including an increased risk of falls and of injuries from vehicular accidents or sudden stops). The medical screening procedures used by the medical provider are seriously inadequate in a variety of ways to control those risks and prevent injury and pain.

#### **The Application of Red ID Restraints**

5. Red ID restraints are placed as follows. Inmates first place their hands in a synthetic fabric mitt with a semi-rigid liner. The inmate then is made to place both arms behind the back with palms outward. The mitts have cuffs around which metal handcuffs are placed, securing the inmate's arms behind him. A metal rectangular box about 6 inches long is fixed around the lock of the cuffs, thereby fixing the cuffed arms in a rigid arrangement. After this, chains are secured between the ankles of the inmate so that the step is restricted to about a 2 to 3 foot span. A chain is placed around the waist and the waist chain and handcuffs are linked and secured with a lock. The movement of the arms is severely limited.

6. The Red ID restraint procedure is utilized on a class of inmates deemed violent by the custody officials. I was told that the categorization of Red ID inmates is made independent of classification, length of stay, or charge. This method of transportation and confinement is utilized on approximately 4% of the population of the jail system. This procedure is carried out whenever the inmate is transported off the facility grounds. The restraints are applied continuously for the time period the inmate is off grounds with the exception of a 15-minute to half hour break for lunch and restroom use at about 11 AM. When inmates enter the court, the

judge has the discretion to remove the restraints, though it appears from declarations that many prisoners report that they remain in the restraints in the courtroom. With the exception of the lunch and bathroom break, inmates may be in these restraints for prolonged periods. Inmates leave the jail in these restraints as early 5:30 AM according to inmates (7 AM according to security officials on the tour; prior to 7 a.m. according to some of the "Red ID Tracking Forms") and may remain in restraints as long as up to 6 PM depending on the when the inmate has his court presentation and when the inmate is taken back to the jail.

7. I am told that defendants have adopted a new policy of attempting to get Red ID status prisoners placed on a bus to return to their jail within two hours of the time they finish seeing the judge. Based upon the "Red ID/Enhanced Restraint Tracking Forms" I reviewed for the Red ID inmates seen in the Bronx courthouses in April, 2002, I do not believe that this policy is being accomplished. Moreover, even if this policy were to be in place, in many instances prisoners would nonetheless be in Red ID restraints for prolonged periods of time. Therefore, this new policy does not change my opinion in this matter.

#### **The Transportation of Inmates in Red ID Restraints**

8. Inmates are transported to and from appointments in buses. I inspected two of the buses. There was no air conditioning on these buses. I was told by DOC personnel that there is no air conditioning on any of the transport buses. On the bus, Red ID inmates are locked in cages that are fixed inside the buses. Some of the cages have ventilation; some do not. Those that do not have ventilation are sealed on the window side and have a Lexan type plastic on the front. The cages are approximately two and a half to three feet front to back. I question whether there is sufficient ventilation in these buses. I had the opportunity to be driven in a van (from the

jail back to our hotel when we were on tour) designed for inmate transportation in which the passenger compartment was similarly sealed and surrounded by Lexan. It was a hot afternoon and there was no ventilation in the van, a similar arrangement to the some of the seating on buses. I found the heat insufferable and asked to get out after a short ride. I believe this type of condition will be harmful to persons with cardiovascular disease or for persons taking certain types of psychotropic medication.

9. In addition, because Red ID inmates have their arms fixed behind their backs, and there were no seat belts, it did not appear that inmates could protect themselves during acceleration or deceleration such as during a sudden stop. There was also no padding to protect prisoners from striking the front or sides of these cages. Not surprisingly, several prisoner declarations report injuries occurring during transport in these cages. For the above reasons, I do not believe these transportation arrangements are safe.

10. When inmates move off and on the bus and when they come and go from the Bronx Supreme Court holding pens from the buses, they walk up and down stairs. This is dangerous given that they do not have use of their arms to protect themselves in the event of a fall and given that their legs are shackled and they lack the ability to move their feet more than a couple of feet. Some of the inmates we observed were escorted up stairs by an officer, but other inmates were not. On interviews, inmates stated that being escorted in this manner does not occur on a routine basis. I am not aware of any policy requiring that inmates in these restraints be individually escorted up and down stairs to prevent injury. However, this should be required.

#### **Conditions in the Court Pens for Prisoners in Red ID Restraints**

11. The conditions in the court holding pens are equally problematic. While in holding

pens, inmates are restrained even when they are held as the only occupant of a cell. The seating arrangements that are available (a shallow metal bench affixed to the wall) make it impossible to sit in a natural posture. I saw one inmate lying down. He was doing so in an awkward arrangement that looked precarious. Aside from standing there was no easy position that a person could maintain while being held in the court pen.

12. Officers transporting and guarding inmates while they are waiting in the court bullpens do not know the medical conditions of the patients. There is no apparent mechanism for inmates who complain of anything including numbness or pain to be evaluated. In fact, most inmates on the day of my tour complained of loss of feeling in their limbs, but they were not evaluated for this complaint. Inmates complained that their medical complaints relating to effects of the restraints are ignored. There was no mention in the Correctional Health Services policy or procedure of evaluation of problems that may occur as a result of this type of restraint.

#### **My Personal Experience in Red ID Restraints**

13. Gene Miller and I were placed in restraints for a brief period of time; less than five minutes. Both Gene Miller and I experienced pain and slight bruising from the restraints. (I examined Mr. Miller's wrists and inquired about his pain as I would with any patient.) The cuffing left an impression on my wrist; more so on Mr. Miller's wrists. I experienced pain in the wrist and shoulder area. The mitts are virtually sealed and my hands immediately began sweating. I understand that defendants' expert David Bogard asserted that he was placed in the Red ID restraints for almost one hour and, while he experienced some discomfort, he did not experience pain once he relaxed. This report does not change my opinion. Based on my own experiences and the experiences laid out in my report, Mr. Bogards experience appears to be the

exception. Mr. Bogard was not in the restraints for the duration that the prisoners are typically held, nor was he restrained under circumstances similar to those of a prisoner.

### **The Medical Policies Regarding Red ID Restraints Are Inadequate**

14. I reviewed two Correctional Health Services (“CHS”) policies regarding Red ID and Enhanced Restraints. The first was issued in January 3, 2001. The second, issued April 5, 2002, is a revision of the first. These Correctional Health Services policies entitled Medical Review of Red ID and Enhanced Restraint Status provide vague guidance on what the process is for approving restraints, but no guidance relative to the types of medical conditions that are contraindicated by restraints. Medical staff is left to determine what conditions are likely to cause a “significant adverse medical consequence.”

15. The standard articulated in the policy is also troubling in that it seems to condone adverse effects as acceptable as long as the medical adverse events are not “significant.” I do not believe this is an appropriate standard for medical practitioners because it is arbitrary and assumes some harm is acceptable. Some practitioners may not view pain and suffering as significant. In my opinion, this is not humane. Even if the organic basis for pain cannot be definitively determined, pain can result from injury and should be addressed.

16. The CHS policy’s vague standard also leads to inconsistency. For example, I was told of persons with asthma who were not cleared and other asthmatics who were cleared and placed in restraints. ( I agree with Dr. Parks that anyone prescribed an inhaler should have a modification of their restraints, to provide access to in the event of an exacerbation of their asthma. There is not even a policy requiring that a person experiencing an asthma attack be removed from restraints to allow for them to use their inhaler.) My review of the CHS

individual facility monthly “Enhanced Restraint Status/Red ID Medical Reviews” similarly reveal significant variance in the rates at which practitioners at the jails grant prisoners modifications, indicating inconsistent review practices by practitioners.

17. Another problem with the medical review system is the failure to provide the medical staff with appropriate information regarding the effects of the Red ID policy. According to Dr. Parks’ deposition transcript, approximately 130 doctors in PHS jails conduct reviews of Red ID inmates. The initial CHS 2001 policy does not explain the nature of Red ID restraints, the conditions under which they are used, or their duration. I understand from Dr. Brown’s and Dr. Parks’ deposition transcripts that until the new CHS policy was issued in April 2002, medical staff were not provided with any information in this regard. The only information provided was a demonstration conducted by security staff for the approximately ten PHS jail medical directors sometime during the fall of 2001. It is unclear how anyone could evaluate the potential harm of a practice that was not described to them. The new CHS policy of April 2002 at least describes the restraints, however, it remains incomplete in that it still fails to provide information about the conditions in which they are used and the duration of their use.

18. The CHS policy’s vague standard does not give medical staff sufficient guidance, especially in the context of a high-turnover jail system in which medical personnel may be under great time pressure because of the large number of tasks they must perform. More explicit guidance is also required where medical personnel may be called on to make judgments that may not be popular with security staff. I know from personal experience, and from experience reported to me by other staff, that in a jail setting there is always pressure-explicit or implicit-on medical staff to go along with security staff’s inclinations and not raise potential medical

contraindications. The indications of security overrides of medical modifications I reviewed are consistent with this pressure.

19. The CHS policy additionally fails to provide guidance to practitioners regarding the need for physical examinations as part of the medical review of Red ID status inmates. The CHS policy allows medical practitioners to rely on chart reviews to determine whether to grant a modification of Red ID restraints. Chart reviews alone are not a reliable basis to clear someone for these types of restraints. Chart reviews rely on intake history and physical examinations. Physicians (and nurses) do not perform these histories and examinations with an eye towards information relevant to Red ID status. Therefore, it is inappropriate to clear someone for the restraints based on chart review; only the obvious persons at risk would be eliminated from restraint. However, even the obvious persons at risk will not be addressed unless criteria are written and consistently applied. In spite of these issues, the CHS individual facility monthly “Enhanced Restraint Status/Red ID Medical Reviews” reports indicate that a very small percentage of the initial and of the monthly reviews of persons in Red ID status are performed by physical examination.

20. Prior to “clearing” persons for Red ID restraints, the patient should be interviewed by a physician and, if risk factors are present, examined. Right now there are many significant questions that are not specifically asked in the intake history and examination (e.g. history of dislocations and fractures, deep vein thrombosis, or back injury). A focused set of questions administered as part of the jail intake history and physical examination procedure might substitute in theory for an interview and examination following placement in Red ID status. However, questions on intake would probably not capture all relevant information, since it would



not be the primary concern of the interview which takes place under rushed circumstances and since the prisoner might have experienced other medical issues between the time of intake and the time of placement in Red ID status. Thus, a personal encounter following placement in Red ID status is more likely to elicit accurate information. Limiting the focused inquiry to those placed in Red ID status would also conserve staff resources.

21. Even physical exams are of limited effectiveness in identifying those individuals placed in Red ID restraints who are likely to sustain injury, because, as set forth below, some conditions which increase the risk of injury are not readily apparent. Moreover, all patients are likely to have pain and suffer from the restraints. Temporary neurological injury is likely in many. Because there is little follow up of inmates and no survey of the type of injuries that may have occurred, no one knows the incidence of neuropathy that will occur.

22. There are also institutional factors that will make the medical clearance process ineffective. Making physicians “clear” individuals for the use of restraints is likely to cause tension between the medical staff and correctional staff. This exercise places individual medical staff in a very awkward position and compromises their ability to exercise good judgment. Again, my review of modifications “denied” by security supports this view.

### **CONSEQUENCES OF THE RED ID RESTRAINTS**

23. The medical community has not studied restraint practices as prolonged and as severe as the Red ID restraint practice. However, injury secondary to front cuffing is well established in medical literature. A French study published in the Journal of Forensic Science, September of 2001, reported that neurological symptoms occurred in 6.3% of consecutive prisoners who have been rear cuffed. This compares to 100% of prisoners with neurological

symptoms who I interviewed during the tour who were cuffed in the Red ID manner with cuffs in the rear with palms outward. The previously mentioned French study reported that symptoms were related to the duration of restraints (mean time in restraints was 1.8 hours for asymptomatic patients and 3.7 hours for symptomatic patients). They conclude that the longer restraints are applied the greater the likelihood of potential injury. A study at Emory University presented in the June 2000 issue of the medical journal *Nerve*, reported that handcuff-related nerve injuries can be severe and permanent. That study documented nerve injuries utilizing electrodiagnostic studies. A report in the *Archives of Physical Medicine and Rehabilitation* reported cases of median and ulnar neuropathies (nerve damage) as a result of cuffing. The authors state that “significant disability resulted, and a rehabilitation program was indicated.” It is my opinion that there is documented evidence that routine front handcuffing does cause temporary and permanent nerve damage. It is also my opinion that documented evidence suggests that similar injuries result from rear cuffing. Finally, it is my opinion that rear cuffing increases the potential for nerve damage and that cuffing with the palms outward further increases the risk.

24. My opinion is that Red ID restraints will result in a variety of muscular-skeletal, neurological and possibly vascular injuries for some prisoners. The position of the arms and hands in the Red ID restraint posture stretches a number of nerves entering the arm and nerves transversing the wrists. In addition, handcuffing compresses nerves in the wrists. The effect of the rear position (particularly with palms outward) is additive. Routine handcuffing causes temporary and permanent nerve injuries in some individuals. As reflected in the French study, cuffing prisoners for prolonged periods increases the likelihood of nerve damage. Prolonged cuffing in the unnatural posture imposed by Red ID restraints additionally increases the

likelihood of injury. The torsion (twisting) of the wrists exposes the wrists to additional strain and may result in joint fatigue and injury as well as increase potential for nerve injury.. In part, this is because the position is uncomfortable, which results in prisoners struggling with the cuffs to increase comfort. This struggle can increase the likelihood of neuropathy. The struggle to find relief may have the same effect as the imposition of excessively tight handcuffs.

25. Prolonged rear handcuffing also results in muscular-skeletal and joint fatigue and presents a risk of injury and pain. In addition, due to the posture, the individual restrained is more vulnerable to injury if a fall occurs. Walking, sitting down or standing up are more difficult with both arms shackled behind one's back. This increases the likelihood of a stumble or accident. This is especially true since inmates have to walk up stairs to get into the Bronx Supreme Court building. Traveling in the buses is not safe. There is inadequate ventilation in some of the cages and there is no means for the inmate to protect himself or herself in the event of sudden acceleration or deceleration.

26. Dr. Parks, in his Declaration states that he is "not aware of a single medical complaint secondary to rear cuffing" while he was with the New Jersey Department of Corrections. However, he does not indicate that the practice in New Jersey of rear cuffing inmates was of similar duration or posture as the practice in New York. He also states that "most rear cuffed inmates do not complain about injuries from rear cuffing." This was not my experience based on prisoner interviews and review of prisoner declarations. On the day of my tour, every inmate I interviewed in Red ID restraints was experiencing pain and numbness. Numerous inmate declarations describe pain and numbness. It may be that prisoners with medical complaints about medical problems from the use of Red ID restraints are not getting to

Dr. Parks' attention.

27. Dr. Parks also mentions that prolonged cuffing primarily causes temporary numbness that occurs equally with front or rear cuffing. I disagree. It is well established in medical literature that even front cuffing can cause traumatic neuropathy. The medical effect of prolonged rear cuffing has not been studied but rear cuffing puts more strain on the shoulders, wrists, and the neurovascular structures of the arms and hands than does front cuffing. It is my opinion therefore that rear cuffing would lead to more numbness and more serious medical problems. Rear cuffing appears to pose greater potential for permanent neuropathy than front cuffing, in addition to potential for other medical problems described elsewhere in this report.

28. The following are examples of other types of medical conditions that may result from use of these devices or types of conditions that should contraindicate use of these restraints.

A. Persons who have need of immediate intermittent self medication (e.g. persons using nitroglycerin or inhalers for asthma or chronic lung disease) will be at increased risk of harm by being unable to access their medication when they need to use it. Dr. Parks agrees in his deposition that these persons should be given a modification of Red ID restraints.

B. Prolonged restraint and immobilization can result in venous stasis (decreased blood flows in veins), thrombosis (clotting) and subsequent pulmonary emboli (clots to the lungs). The Red ID restraint significantly restricts movement and immobilizes the arms. Immobilization of a limb may place persons at higher risk for clotting and subsequent emboli (clots that float off into the circulation). While this type of event may

be infrequent, it is a serious consequence of immobilization of a limb.<sup>1</sup> Persons with other risk factors for increased clotting certainly should not be placed in these types of restraints. Dr. Parks agrees in his deposition to the extent that he believes persons with clotting risk factors should be examined to determine if a modification is necessary. Also, it is standard medical practice for persons in mechanical restraints to periodically move all restrained limbs out of restraints (e.g. every two hours) in order to prevent clots from forming. Decreasing the time in restraints or more frequent time out of restraints would reduce the potentiality of clots forming.

C. Individuals who may have underlying peripheral vascular disease may also suffer transient ischemia (loss or decrease of bloodflow) to the limb. Prolonged ischemia may potentially harm some individuals.

D. The manufacturer's directions for the using The Tube mitts recommend that the mitts be sanitized between uses. This does not occur. Persons with ulcerations or other skin disorders (impetigo) may transmit disease to other inmates. Mitts should be sanitized after each use as per instructions from the manufacturer.

E. Persons with edematous (swelling) disorders should be prohibited from being placed in these restraints because of the impairment in circulation and the resultant pressure effects on nerves.

F. Persons with coronary artery disease who require nitrates should not be placed in these restraints both because of the potential for an increased cardiovascular stress and

---

<sup>1</sup> I disagree with Dr. Parks' comment that a clot forming in the arm would probably never reach the lungs. Thrombosis of the veins in the arms is known to occur. The Red ID position immobilizes the arms and therefore the potential for clots exists.

because of the inability to immediately access sublingual nitrates.

G. Elderly persons who may be at increase risk of falls should not be placed in these restraints because of mobility concerns. Persons with any neurological disorder that impairs movement should also be prohibited from being placed in these restraints for the same reasons.

H. Pregnant women should not be placed in these restraints due to the increased hypercoagulable (risk of blood clots) state of pregnancy and due to the increased risk to the fetus in the event of a fall.

I. Persons who have epilepsy should not be placed in these types of restraints because of potential of injury in the event of a seizure.

29. Front or side restraining will significantly reduce or eliminate the potential for the types of neuro-vascular injuries I described above.

30. For all the foregoing reasons the only effective ways to reduce the risk of harm to prisoners from these restraint procedures are to eliminate the most injurious aspect of them, i.e. prolonged rear cuffing and to minimize the number of people subjected to the restraint procedures to the extent possible.

31. In sum, the manner of restraint is awkward, unnatural, and stresses joints, arteries and nerves in a manner that inevitably will lead to injury, disability and pain in a significant number of persons. The policies adopted regarding medical reviews do not adequately screen out those at risk of severe injury. I would encourage the correctional staff to utilize an alternate, more prudent and safer manner of restraint. Alternatively, if these restraints must be used, I would recommend a much more careful, written and detailed policy of applying these restraints

and would restrict their use as much as possible. In addition, I would require a physician's interview of each Red ID candidate prior to placement in restraints. If risk factors are present, a physical examination should be conducted. Where a prisoner has risk factors, but is nonetheless cleared for Red ID restraints, the prisoner should be examined each month thereafter.

---

MICHAEL PUISIS, D.O.

Date: May \_\_\_\_ 2002

## **DOCUMENTS REVIEWED**

- 27 Prisoner Declarations submitted with the plaintiffs' motion
- the Notice of Motion
- the Declaration of Dr. Patrick Brown
- the Declaration of Dr. Trevor Parks
- Correctional Health Services Policy and Procedure Subject: Medical Review of Red ID and Enhanced Restraint Status, issued January 3, 2001
- Correctional Health Services Policy and Procedure Subject: Medical Review of Red ID and Enhanced Restraint Status issued April 5, 2002
- the Declaration of Steven Conry
- The abstracts for

1) "Focal Neurological Complications of Handcuff Application," Journal of Forensic Science, 2001, Chariot, Ragot, et al.

2) "A Prospective Study of Handcuff Neuropathies," Muscle & Nerve, June 2000, Grant, A., Cook, A.

3) "Handcuff Neuropathy: Two Unusual Cases," Arch. Physical Medical Rehabilitation, Vol 65, 1984, Levin, Felsenthal.

- The package insert instructions for the use of The Tube (the mitts used for Red ID prisoners)

## **DOCUMENTS REVIEWED SINCE MY REPORT**

- CHS individual facility monthly "Enhanced Restraint Status/Red ID Medical Reviews" tracking forms
- Red ID tracking forms for the month of April 2002 in the Bronx Supreme and Criminal Courts



- the full text of the above noted neurology articles
- the medical record of David Gray and of Robert Hall
- modifications requests for David Gray, Dexter Hemmings, Ronald Herron, and Jose Pizzaro, which appear to have been denied by security personnel
- A letter by Dr. Harold Appel, neurologist in the New York City jail system, published in Neurology, June 1991
- the New York State Commission on Correction Chairman's Memorandum No. 19-2001
- Some abstracts on pulmonary embolism in upper extremities
- the deposition transcript of Dr. Patrick Brown
- the deposition transcript of Dr. Trevor Parks

THE LEGAL AID SOCIETY - SHARED SERVICES  
PHOTOCOPY & DISTRIBUTION REQUEST FORM

Date & Time:

11/20/07

1 PM

Requestor:

Chasom

Extension:

7980

Division:

PRP

No. of copies needed:

No. of pages in original:

Paper Needed:

☐ 3 hole

☐ 2 hole - top

Copy to:

☐ 8-1/2 x 11

☐ 8-1/2 x 14

☐ 11 x 17

Covers:

☐ white ☐ blue ☐ yellow

☐ red ☐ clear ☐ other

☐ glue ☐ spiral

☐ STAPLES ☐ 1 ☐ 2

- 1. COPY AND PUT IN SETS
- 1. COPY AND PUT IN BATCHES
- 1. COPY 1-SIDED TO 2-SIDED
- 1. ALL 2-SIDED COPYING
- 1. ORIGINAL INCLUDES 1 & 2-SIDED COPIES

PLEASE COPY TO: ☐ 1-sided ☐ 2-sided

1. TABS:

☐ Side ☐ Bottom

☐ Alphabetical ☐ Numerical

1 copy p/s

☐ SPECIAL INSTRUCTIONS:

DATE NEEDED:

TIME NEEDED:

TO BE COMPLETED BY SHARED SERVICES

Date:

11-20-07

Job Completed by:

Job Checked by:

Problems, if any:

1  
2 UNITED STATES DISTRICT COURT  
3 SOUTHERN DISTRICT OF NEW YORK  
4 -----

5 JAMES BENJAMIN, et al.,

6 Plaintiffs,

7 -against-

8 WILLIAM J. FRASER, et al.,

9 Defendants.  
10 -----

11  
12 DEPOSITION OF MICHAEL PUISIS, a non-party  
13 witness, taken by Defendant, pursuant to Order, at  
14 the offices of Corporation Counsel, 100 Church  
15 Street, New York, New York, on Saturday, May 18,  
16 2002, at 9:50 a.m., before Jacklyn Lisi, a Shorthand  
17 Reporter and notary public, within and for the State  
18 of New York.  
19  
20  
21  
22

|                                  |                     |
|----------------------------------|---------------------|
| 23 AR-TI RECORDING COMPANY, INC. |                     |
| 24 305 Madison Avenue            | 142 Willis Avenue   |
| Suite 449                        | P.O. Box 347        |
| New York, N.Y. 10165             | Mineola, N.Y. 11501 |
| (212) 349-9692                   | (516) 741-5235      |

25

A P P E A R A N C E S :

PRISONERS RIGHTS PROJECT

Legal Aid Society

Attorneys for Plaintiffs

111 Livingston Street

Brooklyn, New York 11201

BY: LISA FREEMAN, ESQ., of Counsel

NEW YORK CITY LAW DEPARTMENT

Office of the Corporation Counsel

Attorneys for Defendants

100 Church Street

New York, New York 10007-2601

BY: FLORENCE A. HUTNER, ESQ.

IRENE R. BUCH, ESQ.

Control No.: III-02015

\* \* \*

1  
2  
3 IT IS HEREBY STIPULATED AND AGREED by  
4 and between the attorneys for the respective parties  
5 hereto, that the filing, sealing and certification  
6 be, and the same are hereby waived;  
7

8 IT IS FURTHER STIPULATED AND AGREED  
9 that all objections, except as to the form of the  
10 questions, shall be reserved to the time of the  
11 trial;  
12

13 IT IS FURTHER STIPULATED AND AGREED  
14 that the within examination may be subscribed and  
15 sworn to before any notary public with the same  
16 force and effect as though subscribed and sworn to  
17 before this court.  
18  
19  
20  
21  
22  
23  
24  
25

I N D E X

| <u>WITNESS</u> | <u>EXAMINATION BY</u> | <u>PAGE</u> |
|----------------|-----------------------|-------------|
| Michael Puisis | Ms. Hutner            | 5           |

EXHIBITS

| <u>PUISIS</u> | <u>DESCRIPTION</u> | <u>PAGE</u> |
|---------------|--------------------|-------------|
| 1             | Declaration        | 17          |
| 2             | Pages from book    | 53          |
| 3             | Report             | 98          |
| 4, 5, 6       | Articles           | 160         |
| 7             | Letter             | 175         |
| 8             | Memorandum         | 179         |
| 9, 10         | Medical charts     | 181         |

PRODUCTION REQUEST: Page 8

1  
2 Whereupon,

3 MICHAEL PUISIS,

4 after having been first duly sworn, was examined and  
5 testified as follows:

6 EXAMINATION BY

7 MS. HUTNER:

8 Q. Please state your name and address for  
9 the record.

10 A. Michael PUISIS, 932 Wesley, Evanston,  
11 Illinois 60202.

12 Q. Good morning.

13 A. Good morning.

14 Q. Thank you for coming out here on a  
15 Saturday.

16 Have you been deposed before?

17 A. Yes.

18 Q. How many times?

19 A. Several, I don't know the number.

20 Q. You know that this is an opportunity for  
21 me to ask you questions, you answer the questions.

22 You know you are under oath and if there  
23 is any question that you don't understand, ask me to  
24 clarify it, I will be happy to do that.

25 If you don't ask me to clarify it, I will

1 M. Puisis

2 assume you've understood the question.

3 All right?

4 A. Yes.

5 Q. Just before this deposition began, Ms.  
6 Freeman handed me certain documents.

7 Did you review these documents -- we'll  
8 mark them as exhibits in the deposition later, but  
9 are these documents that you reviewed in preparation  
10 for this deposition?

11 A. Yes.

12 Q. Do you want to take a look at them or do  
13 they look familiar from that side of the table?

14 A. They look familiar.

15 Q. Did you review any other documents in  
16 preparation for this deposition?

17 A. Dr. Park's deposition, the declarations  
18 of, I believe, Dr. Brown and Dr. Park, the  
19 declaration of Mr. Curry.

20 Q. Whose declaration?

21 A. I think it was -- I don't remember the  
22 name, but it was a correctional official.

23 Q. Could it be Conry?

24 A. Conry, that's correct.

25 Q. Anything else that you can think of?



1 M. Puisis

2 A. Off the top of my head, no.

3 Q. Did you review any articles or other  
4 medical literature other than the ones that you have  
5 cited in your expert report?

6 A. I reviewed the section on reflex  
7 sympathetic dystrophy and Harrison Textbook of  
8 Medicine.

9 I did a literature search and reviewed a  
10 couple of abstracts, that I don't recollect the  
11 names of, on upper extremity thrombo embolism, and I  
12 also reviewed some abstracts on restraints.

13 I'm sorry, I just don't remember the  
14 number or the exact titles of the abstracts I looked  
15 at.

16 Q. Did you review these on the Internet?

17 A. I did the lit searches on the Internet  
18 med line.

19 Q. How did you review the abstracts?

20 A. Digitally.

21 Q. Did you print them out?

22 A. I think I did for a couple on thrombo  
23 embolism, that's correct, and I think I printed the  
24 FDA guidelines on restricts.

25 Q. FDA?

1 M. Puisis

2 A. Food and Drug Administration.

3 Q. Did you bring them with you?

4 A. I did not. I can probably find them  
5 again, if I remember where I went for them, but if  
6 you need them, I will try to look and produce them  
7 for you.

8 \*\* MS. HUTNER: Yes, I would like to have  
9 copies of those.

10 If it's possible to do it today, of  
11 course that would be lovely. We can provide you  
12 with Internet access. If it isn't, then I'd like to  
13 have them as soon as possible.

14 Q. What is reflex sympathetic dystrophy?

15 A. It's a condition that is of uncertain  
16 etiology, which means we don't know exactly why  
17 people get it, but it is thought to occur as a  
18 result of trauma or injury to a limb or nerve, and  
19 results in a chronic pain condition that is a result  
20 of a reflex action that involves the spinal cord.

21 So that there is an injury in a distal  
22 extremity and impulses goes to the spinal cord and a  
23 resultant pain syndrome occurs. And it is often  
24 evidenced in orthopedic injuries or injuries to an  
25 extremity.

1 M. Puisis

2 Q. Can you just define distal extremity for  
3 me?

4 A. Arms, legs, the distal part probably  
5 below the elbow, I would consider distal.

6 Q. When you say chronic pain, what do you  
7 mean?

8 A. I think chronic is undefined in general.

9 Q. Would you say that it lasts for a certain  
10 period of time?

11 A. Yes.

12 Q. Approximately how long?

13 I understand that there is a range. I'm  
14 just trying to figure out at what point does it stop  
15 being intermittent, if that is not chronic and  
16 becomes chronic, and becomes a one-time brief event  
17 and then becomes chronic?

18 A. To be honest, the term is not explicitly  
19 defined, to my understanding, in the medical  
20 literature.

21 Q. What does it mean to you?

22 A. It occasionally is applied to certain  
23 illnesses.

24 For example, chronic may mean in some  
25 instances more than three months. It may mean more

1 M. Puisis

2 than a week. But I think that it's not explicitly  
3 defined in the medical literature.

4 Q. Can you give me examples of the sort of  
5 trauma injury that have caused this reflex  
6 sympathetic dystrophy?

7 A. I think there is a wide variety of  
8 injuries that can result in it. I looked it up  
9 because I had been talking to a colleague who works  
10 at the Cook County Jail, who told me of an inmate  
11 that he examined who had sympathetic dystrophy from  
12 an injury to a limb, and the injury was, I think,  
13 neurological in nature.

14 Q. Do you know anything more specific about  
15 it?

16 A. No. It was a casual conversation, but I  
17 had been talking to him about the handcuff issue,  
18 and he brought up this item as a possible  
19 consequence of it.

20 Q. What's the name of this colleague?

21 A. Dr. Roger Benson.

22 Q. What's his position?

23 A. He is a senior physician at the Cook  
24 County Jail.

25 Q. Who is he employed by?

1 M. Puisis

2 A. The County of Cook.

3 Q. You said the injury to this inmate you  
4 were discussing was neurological.

5 Do you know anything more about it?

6 A. No, I don't.

7 Q. So you have no idea what actually caused  
8 the injury; is that right?

9 A. No.

10 Q. Let me reask the question.

11 Do you have any idea what caused the  
12 injury?

13 A. No.

14 Q. In the section in the medical texts that  
15 you read on this condition, what sort of trauma or  
16 injury was described as possibly leading to  
17 sympathetic dystrophy?

18 A. It appears any type of injury can lead to  
19 this.

20 Q. Could breaking a finger lead to this?

21 A. I believe so.

22 Q. Why do you think so?

23 A. Because I've seen this condition myself  
24 in people who have fractured legs, specifically the  
25 tibia, and as a result of that type of injury they

1 M. Puisis

2 developed a reflex sympathetic dystrophy.

3 Q. Can you define sympathetic dystrophy,  
4 please?

5 A. The sympathetic nervous system is a  
6 complex of neuro connections that in part resides in  
7 the spinal cord and in other areas of the body that  
8 mediate certain types of neural impulses.

9 These types of neural impulses innervate  
10 smooth muscle. They innervate sensory discharge.  
11 They innervate sweat glands. They may innervate  
12 increase in heart rate, and dystrophy is an  
13 abnormality of that neural interaction with the  
14 body.

15 That's about the best description I can  
16 give to you.

17 Q. Was this section of the Harrison text  
18 relevant, in your opinion, to the rear-cuffing issue  
19 that we are dealing with in this litigation?

20 A. I think it has some relevance.

21 Q. What's that?

22 A. Insofar as if someone is injured in a  
23 handcuff -- by the use of handcuffs, that there may  
24 be a possibility of development of this condition.

25 I don't think it's highly likely, but it

1 M. Puisis

2 is a possibility.

3 Q. You said you had encountered the  
4 condition in your experience; is that right?

5 A. I have.

6 Q. Approximately how many times?

7 A. I'm going to guess about four or five  
8 times.

9 Q. That's over how many years?

10 A. I'm thinking of a period while I was at  
11 Cermak Health Services, which is a Cook County jail,  
12 11 years.

13 Q. What were the causes of the condition in  
14 those four or five cases?

15 A. Usually musculo-skeletal injuries.

16 Q. For example?

17 A. I told you one, fracture. And the  
18 second was a blunt trauma, as I remember, and I  
19 don't remember more than that.

20 Q. Were any as a result of injuries relating  
21 to handcuffing?

22 A. Not in my experience.

23 Q. What do you think is the likelihood that  
24 any injury from the type of restraints that we are  
25 talking about in this litigation would lead to this

1 M. Puisis

2 sort of sympathetic dystrophy?

3 A. I would say it's possible. More than  
4 that, I would be speculating. And I don't have a  
5 good basis at this juncture to give you a  
6 probability.

7 Q. Do you have any specific basis to believe  
8 that handcuffing injuries would lead to sympathetic  
9 dystrophy?

10 A. I think it could, and it's something I  
11 probably would look closer at.

12 Q. What do you mean you'll look closer at  
13 it?

14 A. It's something that I investigated just  
15 prior to coming out here and did not have the time  
16 to review any literature on it or investigate  
17 whether there is a causal relationship or there  
18 might be.

19 Q. Do you expect to change your testimony in  
20 any way from your declaration or your expert report  
21 on the basis of this issue?

22 A. I should ask if it's appropriate that at  
23 this juncture if I am permitted to do any further  
24 review of anything prior to trial. And if I am, I  
25 will. If I am not, then I will not.



1 M. Puisis

2 Q. Actually, I think it's not appropriate,  
3 and Ms. Freeman and I just agreed that there would  
4 not be further research and review between now and  
5 the time of your trial.

6 MS. FREEMAN: I think we agreed I would  
7 not show him anything else. Obviously, if you raise  
8 a question that he chooses to investigate because of  
9 a question that you've raised, that's within his  
10 purview.

11 MS. HUTNER: I think it's highly  
12 problematic if there is additional independent work  
13 done between now and the trial.

14 I think there was a fairly clear  
15 understanding between our offices that that would  
16 not happen. I do think it is problematic.

17 On the other hand, if you are planning to  
18 do that anyway, then I would appreciate having a  
19 prompt as possible notice of that.

20 THE WITNESS: I will do that.

21 MS. HUTNER: Given that we are supposed  
22 to have your direct testimony by Tuesday, it will  
23 have to be soon.

24 THE WITNESS: As a matter of fact,  
25 probably I will not because of a time constraint. I

1 M. Puisis

2 really have no time to do it. As a matter of  
3 practical fact.

4 MS. HUTNER: I know how that goes.

5 Q. Do you have any specialties in your  
6 practice?

7 A. I'm an internal medicine physician.

8 Q. Do you have any specialties in infectious  
9 diseases?

10 A. Insofar that I've worked a fair number of  
11 years caring for people with infectious diseases and  
12 have been considered an expert in several areas of  
13 infectious disease, particularly tuberculosis, I  
14 would say I have experience in that field.

15 Q. When were you considered an expert in any  
16 infectious diseases?

17 A. I've been on panels for the CDC, Center  
18 for Disease Control, to review their guidelines on  
19 tuberculosis, screening for jails.

20 And I'm currently a consultant to them in  
21 evaluating whether those guidelines are being  
22 applied in jails.

23 I've also been asked to participate in  
24 meetings that they have had related to sexually  
25 transmitted diseases.

1 M. Puisis

2 Q. When you say "they," you mean -- are you  
3 still referring to CDC?

4 A. Center for Disease Control. I've also  
5 had fairly extensive experience working with the  
6 Chicago Board of Health on tuberculosis and sexually  
7 transmitted disease issues, and have written an  
8 article and co-authored chapters in a book on  
9 contagious and infectious diseases.

10 Q. When were you on the CDC panel on  
11 tuberculosis screening?

12 A. It's in my curriculum vitae, but I can  
13 find the dates for you if you need that provided.

14 MS. HUTNER: Can we mark this exhibit  
15 as Puisis Exhibit 1?

16 (Puisis Exhibit Number 1 was  
17 marked for identification.)

18 Q. I'm showing you what has been marked as  
19 Puisis Exhibit Number 1.

20 Do you recognize that?

21 A. I do.

22 Q. What is it?

23 A. It's a declaration I wrote.

24 Q. In this litigation?

25 A. That's correct.

1 M. Puisis

2 Q. There is a curriculum vitae attached to  
3 this declaration.

4 Would you take a look at it?

5 MS. HUTNER: Off the record.

6 (Discussion off the record.)

7 A. It's incomplete.

8 Q. It is incomplete?

9 A. Yes -- or maybe it's out of order, wait a  
10 minute.

11 Q. If you want, we can unstamp the exhibit  
12 and reshuffle the pages and see if it's complete.

13 A. I believe it is complete, it's out of  
14 order.

15 Q. Is this your current and up-to-date  
16 curriculum vitae?

17 A. It is.

18 Q. In looking at that, can you tell when you  
19 participated in a CDC panel or panels on guidelines  
20 concerning tuberculosis screening in the jails?

21 A. 1995.

22 Q. Was that a singular or plural panel, one  
23 or more panels?

24 A. It wasn't really a panel. It was a group  
25 of people that the CDC utilized to review the

1 M. Puisis

2 document that then became the standard.

3 They E-mailed the draft as for comments  
4 of a select group of people. We made comments, sent  
5 them back, they revised it, sent it back.

6 Q. I see.

7 A. That process continued until they had a  
8 final document.

9 Q. When did you consult with CDC about  
10 evaluating the application of these guidelines?

11 A. That's actually ongoing. There was a  
12 meeting in Atlanta in 1999 that resulted in a  
13 questionnaire and a process.

14 That questionnaire was recently  
15 completed; in fact, if you want to see a draft of  
16 it, I can show you the front cover of the draft that  
17 we were asked to review, make comments on, send back  
18 and they were going to produce a final document.

19 Q. Did you participate in any other advisory  
20 groups or panels, whatever you want to call them,  
21 with the CDC?

22 You mentioned the ones concerning  
23 tuberculosis and sexually transmitted diseases.

24 A. I was a reviewer of grants. In 1999, I  
25 was asked to sit on a panel in which grant proposals

1 M. Puisis

2 to the CDC were presented.

3 The group of reviewers reviewed each  
4 grant in an abstract and gave an opinion as to  
5 whether this was something the CDC should or should  
6 not participate or fund.

7 Q. What sort of programs were seeking these  
8 grants?

9 A. Proposal to screen for sexually  
10 transmitted disease in a high school, proposal to  
11 screen juveniles in correctional facility for  
12 chlamydia or gonorrhea, et cetera.

13 Q. So these were still all related to  
14 infectious diseases; is that correct?

15 A. That's correct.

16 Q. Do you have any special training in  
17 neurology?

18 A. As part of my internal medicine study we  
19 rotated through neurology.

20 Q. But that was the same as everybody else  
21 who focused on internal medicine?

22 A. That's correct.

23 Q. What about vascular surgery?

24 A. No.

25 Q. Do you have any special training in

1 M. Puisis

2 correctional security?

3 A. No.

4 Q. Do you have any special training in  
5 establishing risks in transportation in vehicles?

6 MS. FREEMAN: Objection. Is this  
7 medical training?

8 MS. HUTNER: Any training at all.

9 A. I don't even think there is a subset of  
10 training for physicians for transportation in  
11 medical vehicles. I've never heard of such a  
12 specialty.

13 If you are saying is there a specialty of  
14 physicians who train in transportation, I don't know  
15 that that exists.

16 Q. No. That's not what I'm asking.

17 What I'm asking is whether you personally  
18 have any training in establishing risks concerning  
19 transportation in vehicles?

20 A. I don't, and I don't think such training  
21 exists.

22 Q. But you don't have any, right?

23 A. No.

24 Q. Do you have any special training as a  
25 public sanitarian?

1 M. Puisis

2 A. Only insofar as I followed sanitarians  
3 around doing inspections.

4 Q. That would make me an expert, too.

5 A. But I do not.

6 Q. Do you have any special training as a  
7 building engineer?

8 A. I was a carpenter, if that counts.

9 Q. When is the last time that you had direct  
10 patient care?

11 A. A couple of months ago.

12 Q. Where was that?

13 A. I believe it was at the Joliet  
14 correctional facility. I still see patients --  
15 approximately 15 percent of my time is clinically  
16 seeing patients and I work at a variety of  
17 facilities in Illinois.

18 Q. Approximately how many patients a week do  
19 you see?

20 A. I think it's a hard question. I don't  
21 have an exact answer for you, but when I see  
22 patients, I probably -- 15 patients a day, so if you  
23 took 20, 40 hours, divided it or multiplied it by 15  
24 percent -- multiplied that by 15 is probably the  
25 number I see on average.



1 M. Puisis

2 Q. Have you ever treated any patients who  
3 are in restraint status?

4 MS. FREEMAN: Objection. I don't  
5 think the words "restraint status" is clear.

6 MS. HUTNER: We'll see if the witness  
7 understands it.

8 A. Right, I was going to say I'm not -- I  
9 prefer to use a term more in line with what I'm  
10 familiar with.

11 Q. What term would you use?

12 A. Well, I'd rather that you ask the kind of  
13 question you want and I'll try to provide the answer  
14 I think is appropriate.

15 Q. Have you ever treated inmates in the sort  
16 of restraints that you observed Red ID inmates in  
17 your tour of the New York City Department of  
18 Corrections court pen facilities?

19 A. No.

20 Q. Is all of your current direct patient  
21 care in correctional facilities?

22 A. Yes, it is.

23 Q. You worked as a physician assigned to  
24 Cermak Health Services; is that right?

25 A. That's correct.

1 M. Puisis

2 Q. What were your responsibilities as a  
3 physician?

4 A. They changed over time. When I first  
5 started, I was a staff physician. I started in  
6 1985.

7 At some point, I became an assistant  
8 medical director and then the medical director.

9 Q. What were your responsibilities as a  
10 staff physician?

11 A. To see patients and a variety of other  
12 administrative tasks.

13 Q. What were your responsibilities as  
14 assistant medical director?

15 A. Pretty much the same, with the exception  
16 that there was more supervisory requirements and a  
17 bit more administrative work.

18 Q. How about as medical director?

19 A. More administrative work.

20 Q. Does that mean less seeing patients?

21 A. A little bit, more development of policy,  
22 procedure, interacting with governmental agencies,  
23 designing programs, setting guidelines, supervising  
24 physicians, that sort of thing.

25 Q. At Cermak Health Services, you worked in

1 M. Puisis

2 the Cook County Jail; is that right?

3 A. That's correct.

4 Q. Do you know whether that's a jail as the  
5 name indicates as opposed to a prison?

6 A. It is.

7 Q. What is Addus Health Care?

8 A. It's a comprehensive health care company.

9 Q. What does that mean?

10 A. They provide home health care, scheduled  
11 nursing, comprehensive correctional medical  
12 services, durable medical equipment.

13 There may be some other endeavors that  
14 are in that that I'm unaware of.

15 Q. You are working for them now?

16 A. As a consultant, that's true.

17 Q. So you are no longer corporate medical  
18 director of their correctional division?

19 A. I am, but as a consultant.

20 Q. What does the correctional division do?

21 A. It provides comprehensive medical  
22 services in correctional facilities.

23 Q. What correctional facilities?

24 A. Currently, there are six correctional  
25 facilities where we provide direct care. That would

1 M. Puisis

2 be Joliet, Stateville, Illinois River, Decatur and  
3 the treatment and detention facility and Dwight.

4 Q. What was the last one?

5 A. D-W-I-G-H-T.

6 All these facilities are in Illinois.  
7 Five of them are related to the Illinois Department  
8 of Corrections. The TDF facility is a facility  
9 that's connected to the Illinois Department of  
10 Mental Health.

11 Q. Are you familiar at all with Prison  
12 Health Services?

13 A. You mean the company?

14 Q. Yes.

15 A. Yes, I am.

16 Q. So is the function of Addus Health Care  
17 or at least the correctional division similar to  
18 what Prison Health Services does?

19 A. It is.

20 Q. Do you know whether Addus Health Care  
21 sought the position of providing health care in the  
22 New York City jails recently?

23 A. I think they did before -- I was not  
24 working with them at the time, but they did.

25 Can I interject?

1 M. Puisis

2 Q. Sure.

3 A. In my work in this endeavor that we are  
4 engaged in here.

5 Q. You mean the litigation?

6 A. That's true. I am not acting as a  
7 representative of Addus Health Care, and I am acting  
8 independently and my superiors in fact do not know  
9 that I do this. Or they know that I do this kind  
10 of thing but they don't know specifically that I  
11 engage in specific assignments that I choose.

12 Q. That's fine.

13 A. Okay.

14 Q. In the time that you have been providing  
15 direct medical care in a correctional facility, have  
16 you received any specific complaints secondary to  
17 handcuffing?

18 A. Yes.

19 Q. What kinds of complaints?

20 A. In the normal course of work I've seen  
21 people who have had handcuff injuries.

22 I should add that Cermak is not a booking  
23 jail.

24 Q. What does that mean?

25 A. There are certain jails that are booking

1 M. Puisis

2 jails. A booking jail is a jail to which an inmate  
3 who is arrested is immediately brought.

4 A referral jail is then a jail that  
5 persons who are detained in a booking jail are sent  
6 after a bail has been set.

7 For example, in Chicago, Chicago police  
8 may arrest someone, incarcerate them in a local  
9 lock-up that's run by the Chicago Police Department.

10 That person would have a hearing. The  
11 judge would determine that the person had no bond or  
12 whatever, as a result of which the person would be  
13 incarcerated and then remanded to the Cook County  
14 Jail.

15 So the Cook County jail was a facility  
16 that saw people after an initial incarceration and  
17 in that sense it was a referral jail.

18 Q. Thanks.

19 We were talking about complaints that  
20 you've received about handcuff injuries.

21 Can you describe the sort of injuries  
22 that you've seen in your experience?

23 A. I've seen people who complained of loss  
24 of sensation, bruising, that sort of thing.

25 Q. Anything else?

1 M. Puisis

2 A. No.

3 Q. Were those complaints from inmates who  
4 had been front-cuffed, rear-cuffed or both?

5 A. My recollection, people were not  
6 rear-cuffed in the Chicago system. I can't vouch  
7 for that, but I have not seen it.

8 Q. When is the most recent one of these  
9 complaints that you've heard?

10 A. It would have been when I was working at  
11 the jail, but I have not seen episodes of this in  
12 the prison system.

13 Q. So when you say working at the jail, you  
14 mean during the time you were at Cermak working in  
15 Cook County Jail?

16 A. That's true.

17 Q. You stopped working at Cermak in 1991; is  
18 that right?

19 A. No, '96.

20 Q. '96?

21 A. Yes.

22 Q. Sorry.

23 What's the Society of Correctional  
24 Physicians?

25 A. It's a group of physicians that have

1 M. Puisis

2 organized themselves into a society, who all work in  
3 correctional facilities for the purpose of  
4 collegiality in an attempt to develop a society of  
5 physicians that is organized.

6 Q. Is this a national society?

7 A. It is.

8 Q. How large is it approximately?

9 A. Approximately 500 individual physicians,  
10 4 or 500.

11 Q. How long has this society been in  
12 existence, to your knowledge?

13 A. I'm not sure, I think six or seven years.

14 Q. Does it hold regular meetings of any  
15 sort?

16 A. It does.

17 Q. How often?

18 A. I believe twice a year, and the meetings  
19 are connected to the National Commission and  
20 Correctional Health Care meetings. There may be a  
21 third, I'm not certain.

22 Q. Are there presentations at these  
23 meetings?

24 A. Yes.

25 Q. Have any of those presentations involved



1 M. Puisis

2 medical effects of restraints?

3 A. Well, I haven't been at all the meetings  
4 so it's difficult for me to say.

5 Q. At any of the ones that you've been at?

6 A. I don't believe, most of the talks have  
7 been on topics such as HIV and other related topics.

8 Q. How long have you been a member of this  
9 society?

10 A. I can't tell exactly -- I don't remember,  
11 I think for about five years.

12 Q. What's the American college Of  
13 Physicians?

14 A. It's a society of physicians who are  
15 specialists in internal medicine.

16 Q. Does this group have regular meetings?

17 A. They do.

18 Q. Do you go to those meetings?

19 A. I have in the past, but not frequently.

20 Q. Are you aware of any presentations or  
21 discussions in connection with this organization  
22 that concern medical effects of correctional  
23 restraints?

24 A. I believe -- you know, I don't know the  
25 answer to that.

1 M. Puisis

2 Actually, you asked me if I know. I  
3 don't know, but there may be -- because I believe  
4 that they have a position on -- they may have a  
5 position on restraints.

6 I know there was a lot of discussion in  
7 the past several years about medical restraints, and  
8 I don't know if they have a position on that or not,  
9 but I am not aware of it.

10 Q. You were a consultant to the U.S.  
11 Department of Justice in 1989, or you have been to  
12 the present, I'm sorry -- it's a little hard to read  
13 on your curriculum vitae here -- on conditions in a  
14 variety of jails throughout the United States.  
15 That's what your curriculum vitae says.

16 Can you describe what that work is?

17 A. They call me to be an expert medical  
18 witness in the evaluation of medical programs at  
19 prisons and jails.

20 Q. Can you be more specific?

21 A. In what way? I mean, where would you  
22 like me to go?

23 Q. What are you evaluating?

24 A. I evaluate their policy, procedures,  
25 death records, practices. I evaluate medical

1 M. Puisis

2 records. I evaluate quality of care. I evaluate  
3 physicians. I evaluate the comprehensive medical  
4 program and give them a report on that program.

5 Q. In the course of this consultation, have  
6 you ever evaluated anything relating to correctional  
7 restraints?

8 A. I have insofar -- I think there were a  
9 couple of situations where an excessive restraint  
10 was used in restraining a patient and that resulted  
11 in a morbid event.

12 Q. Does that mean death?

13 A. In one case it did. Let me think of the  
14 second one.

15 In one case it did, and in the other case  
16 I gave a recommendation on the manner of the  
17 restraint, and I'm sorry, I can't be more specific,  
18 because I would have to ask their permission, but I  
19 can tell you the details, but not the cites or  
20 the names.

21 Q. That's fine.

22 Can you tell me what was the restraint  
23 that you considered excessive?

24 A. In one facility, an asthmatic patient was  
25 questioned as to whether he had asthma or not. And

1 M. Puisis

2 it was determined by a nurse not to have asthma and  
3 the correctional folks took the inmate and, and I  
4 guess, restrained him in a take-down posture and may  
5 have used excessive force. And shortly after that  
6 episode the inmate died.

7 A second episode I remember evaluating a  
8 manner in which patients who were mentally ill were  
9 restrained in a jail holding area pending  
10 evaluation, and I made a recommendation about that.

11 Q. What was your recommendation?

12 A. That a person who is deemed psychotic by  
13 a nurse or by a correctional officer should not be  
14 restrained unattended in a chair without evaluation  
15 for an extended period of time.

16 Something like that, this was years ago,  
17 and this is to the best of my recollection.

18 Q. Can you define psychotic, as you just  
19 used it?

20 A. A person with disorganized thinking and  
21 -- I'm sorry.

22 Q. Go ahead.

23 A. A person with disorganized thinking who  
24 is acting in a bizarre manner.

25 MS. HUTNER: Off the record.

M. Puisis

(Recess taken.).

Q. You mentioned before that you believe that the American Society of Physicians has a position on medical restraints.

Did I understand that correctly?

A. No, I have no idea whether they do or don't. I suspect that they may have a position on restraints because a number of organizations made comments on it, but I don't have any idea whether they do or do not.

Q. You also don't know whether its correctional restraints or medical restraints?

A. I would doubt they had a position on correctional restraints.

Q. The first patient that you were describing by whom you spoke in your consultation for DOJ, the one who died, did he die of asthma?

A. He did.

Q. You said that he had been restrained in a take-down posture.

Can you describe what that is?

A. I don't remember the exact details, but I believe it was, you know, a prone posture, but I couldn't definitively tell you.

M. Puisis

My recollection was just that he was taken down and roughed up.

Q. And this is what you were talking about when you discussed the possibility of the use of excessive force?

There was some interaction between the correctional staff and this asthmatic inmate where they -- the correctional staff ended up using force that you believe might have been excessive; is that right?

A. I was not responsible for reviewing that part, but that had occurred and that was being investigated by the DOJ.

Q. Do you remember how long afterwards the inmate died?

A. It was a short period of time. It was less than a couple of hours, an hour.

Q. What's your basis for saying that asthma was the cause of death?

A. I saw the autopsy, I think. As I remember, I saw the autopsy.

Q. Those are the only examples that you recall in the time that you've been consulting with DOJ where an issue of correctional restraints came

M. Puisis

up?

A. I don't recollect. I could spend some time thinking through the cases that I've been involved in, whether there were restraint issues.

Q. These are the only ones that you remember at this point?

A. No. There were things like Angola. Maybe I shouldn't mention that. I shouldn't talk about cases.

Q. You don't have to mention the names of cases.

A. There were people who were detained in conditions that I thought were inappropriate, and I think that has occurred throughout the time I've been with them.

Q. What do you mean, or can you give some examples of conditions of detention that you believe are inappropriate?

A. For example, I remember one facility where people were brought for emergency evaluation and required to sit in a cage that was, as I recall, about 4-1/2, 5 feet high, and they had arm and leg chains on, and would be required to wait in these cages for hours at a time to be seen by a physician.

1 M. Puisis

2 So that these were people who were sick,  
3 vomiting, in pain, undiagnosed conditions who were  
4 in a cage where they couldn't actually stand up.

5 Q. They weren't given any breaks?

6 A. Not until they saw the doctor. I mean,  
7 whether they gave them a break to go to the  
8 restroom, I don't recollect, but I don't think they  
9 did, as I remember. That was years ago, but I  
10 think it still occurs.

11 So there were situations like that, that  
12 I think if I really sat down and tried to write them  
13 all out, there would be a number of situations like  
14 that.

15 And I would give a medical opinion to the  
16 Justice Department as to whether that was something  
17 that I thought would be acceptable.

18 Q. Do you consider the situation that you  
19 just described comparable to what you have seen in  
20 the New York City Department of Correctional  
21 Facility for Red ID?

22 MS. FREEMAN: Objection. Comparable in  
23 what respect?

24 MS. HUTNER: Well, I think that's  
25 really for the witness to say.



1 M. Puisis

2 A. I agree. I'm not sure I know exactly  
3 what you mean.

4 Q. Let me ask you --

5 MS. HUTNER: First I'm going to ask  
6 counsel not to make speaking objections that clue  
7 the witness in to what to say.

8 Q. Did you think that this situation that  
9 you just described about the sick inmate sitting in  
10 a cage was medically appropriate?

11 A. No, I did not.

12 Q. For what reasons?

13 A. Because someone who had an unknown  
14 diagnosis but had a medical complaint was forced  
15 into a position that was inappropriate for their  
16 condition.

17 They couldn't stand up, and furthermore,  
18 they may have had a condition that could have been  
19 exacerbated or made worse by virtue of the position  
20 that they were required to be in.

21 Q. Do you have any other objections that you  
22 recall to this practice?

23 A. Medically?

24 Q. Yes.

25 A. I think that, as I said, I think it could

1 M. Puisis

2 have caused harm to the patient.

3 Q. Do you know of any instances where harm  
4 was actually caused to a patient in that  
5 circumstance?

6 A. As I recall, I did not have an  
7 opportunity in that situation to review records  
8 relative to that.

9 Q. Do you have any of the same objections  
10 that you just described to that other situation?

11 Do any of those objections apply to the  
12 manner in which you saw the Red ID inmates being  
13 held in the City's court pens?

14 A. I'm not sure I really want to go there.  
15 I think it's a different situation, and I remember  
16 you asked my opinion independently about that.

17 I mean, I think in one situation the --  
18 you know, they have handcuffs behind, and another  
19 situation they have leg irons in a cage, and they  
20 are a little different, and it's a different  
21 scenario. And I think to draw conclusions from that  
22 would be confusing.

23 Q. That's fine.

24 Can you describe the work that you did  
25 for the ACLU in Indiana in 1988?

1 M. Puisis

2 A. The Westville facility is located in  
3 central Indiana, and there was a TD outbreak that  
4 resulted in an investigation of the facility, and I  
5 was asked to review medical care, not just TD care,  
6 but medical care relevant to conditions of  
7 confinement.

8 Q. Did any of your review concern the  
9 medical effects of correctional restraints?

10 A. It did concern medical restraints and  
11 what I thought was -- can I ask my attorney a  
12 question off the record?

13 MS. HUTNER: Well, you are supposed to  
14 finish answering the question. Then if you want to  
15 consult with your attorney, you can do that.

16 A. Well, maybe I can ask both of you since  
17 you are both attorneys.

18 The cases I'm involved in reviewing for  
19 the ACLU or for the Justice Department are --  
20 include confidential information that I don't know  
21 if I'm privileged to disclose to you all.

22 And while there may be situations where  
23 there have been instances where I have seen or have  
24 reviewed something, I don't know if it's something I  
25 can disclose or not disclose appropriately.

M. Puisis

1  
2 Q. Let me ask you a question that might  
3 eliminate the problem.

4 A. Because you can understand if someone  
5 asked me about a death review that I did at Rikers  
6 Island, you would be very concerned if I was giving  
7 that to an attorney in Seattle.

8 Q. I might be. It depends if it's public  
9 record or not. I certainly appreciate your concern  
10 about confidentiality.

11 Did anything that you saw in the course  
12 of your consulting for DOJ or for the ACLU inform or  
13 effect the opinions that you are giving in this  
14 case?

15 A. Yes, but let me put it to you a different  
16 way.

17 I have reviewed, and not actually for the  
18 DOJ or the ACLU, I have reviewed as a consultant,  
19 cases where mental health patients died as a result  
20 of restraints over a 16-hour period.

21 And I have seen that -- I have seen death  
22 result from restraints on three occasions. These  
23 were restraints of mental health patients in  
24 four-point restraints and they all died of pulmonary  
25 embolism.

M. Puisis

The embolism in all cases did not occur immediately after restraint, but in all cases occurred some time after.

Q. What do you mean by "some time"?

A. A week, ten days.

Q. Is it your opinion that in each of those cases the pulmonary embolism was caused by the restraint?

A. Very much so.

Q. What's the basis for that opinion?

A. Because the restraint was a risk factor for deep vein thrombosis. Persons, all of them have deep vein thrombosis that resulted in pulmonary embolisms and they died. There were no other risk factors for the DVT.

Q. So you mean none of the three had any other risk factor; is that right?

A. No, none.

Q. Are you familiar with four-point restraints?

A. I am.

Q. Is it your opinion that four-point restraints are similar to the restraints that the City uses for Red ID inmates?

M. Puisis

A. Insofar that a limb is immobilized, there is a similarity.

MS. FREEMAN: Do you need to take a break?

THE WITNESS: I'm sorry, I need to take a break to answer this page.

(Recess taken.)

Q. I'm going to ask the reporter to read back my question and the beginning of your answer and then you can complete your answer.

(Record read.)

A. That is the answer.

Q. That is your answer?

A. Yes.

Q. In four-point restraints, all four limbs are completely immobilized; is that right?

A. That's true.

Q. In what I will call the Red ID restraints, the inmates can walk around; is that correct?

A. That's true.

Q. They can stand up or sit down?

A. That's true.

Q. They can turn around?

1  
2  
3  
4  
5  
6  
7  
8  
9  
10  
11  
12  
13  
14  
15  
16  
17  
18  
19  
20  
21  
22  
23  
24  
25

M. Puisis

A. Yes. Not easily, but they can.

Q. They can move their arms to a very limited degree; can't they?

A. I didn't appreciate that they could move very much at all.

Q. But they can move at all; is that right?

A. When I tried them on, I couldn't move very much. You have this black box that holds the wrists together in a fairly rigid manner, and it wasn't easy to move the arm.

Q. But you couldn't move your arms apart from each other, but you can move them up and down a little bit, right?

A. Very little.

Q. You can move your elbows a little bit?

A. I certainly couldn't flex the elbows.

Q. Okay, but that's not what I asked.

A. What did you ask? I'm sorry.

Q. Whether you could move them at all.

A. A small amount.

Q. In four-point restraints, can the arms be moved at all?

A. Sure. I mean, as much as you can.

Q. So you think that the level of restraint

1 M. Puisis

2 of the arms is the same in the Red ID restraints and  
3 in four-point restraints?

4 A. I think the degree of restriction is  
5 similar.

6 Q. Doesn't it matter whether there is any  
7 movement at all?

8 A. Well, there is movement in both  
9 situations, but very, very little.

10 Q. You said that the degree of restriction  
11 was similar.

12 Would you say that the degree of  
13 restriction is the same?

14 A. It's not identical, because they are  
15 different types of restraints.

16 Q. But it's your opinion that the amount  
17 that you can move your arms is the same in  
18 four-point restraints and in Red ID restraints?

19 MS. FREEMAN: Objection. Asked and  
20 answered.

21 MS. HUTNER: I don't think so.

22 A. I would say it closely approximates one  
23 to the other.

24 Q. Do you think that there is a greater  
25 degree of mobility, granted very limited, all the



1 M. Puisis

2 same, but we are talking comparisons here in either  
3 four-point restraints or the Red ID restraints?

4 A. For which limb?

5 Q. Upper.

6 A. Are you speaking about the whole arm?

7 Q. Any portion of the arm, shoulder to  
8 fingertips.

9 A. I think it's a hard question to answer.  
10 I'll take a stab at it.

11 Q. Thank you.

12 A. I think people in four-point restraints  
13 can -- in fact, I know they can move their shoulder  
14 up off the bed. And in that sense I think there is  
15 a lot less mobility in the Red ID restraints from  
16 the shoulder to the elbow and arm.

17 You can move the arms together a little  
18 bit in the Red ID restraint, but only as a unit.  
19 And you certainly can't flex or extend the arm very  
20 much.

21 I think you can flex and extend more in a  
22 four-point restraint, but to tell you the truth, I'm  
23 speculating exactly, it's not been measured, but  
24 that's the best answer I can give you.

25 Q. You've testified in previous litigation

1 M. Puisis

2 as an expert only once; is that correct?

3 A. Can you repeat that question, please?

4 Q. You've testified as an expert in  
5 litigation only once previously, is that right?

6 A. I think more than once.

7 Q. At least one of those instances, if there  
8 was more than once, was in Marshall versus Whisante  
9 in the Northern District of Alabama in 2001?

10 A. That's correct.

11 Q. What was that case about?

12 A. The Southern Center for Civil Rights was  
13 acting on behalf of inmates to address conditions of  
14 medical care in a jail in Alabama.

15 Q. Were you retained by the Southern Center  
16 for Civil Rights?

17 A. I was.

18 Q. What medical care issues came up in that  
19 case?

20 A. There were a variety of issues; access to  
21 care, some mental health issues, staffing issues,  
22 intake screening issues. I can't remember  
23 everything.

24 Q. Is that all the issues that you remember?

25 A. If you want to sit here a little bit I

1 M. Puisis

2 can go through my mind and try to pick all of them  
3 out.

4 If you have a specific question, it might  
5 be easier for me to address whether that was  
6 considered.

7 Q. Do you remember any issues concerning the  
8 medical effects of correctional restraints?

9 A. There was an issue regarding restraining  
10 of the suspected people with mental illness.

11 Q. What was that issue?

12 A. My recollection is that the practice for  
13 patients who were deemed by the correctional staff  
14 to be out of control and possibly mentally ill was  
15 to address the issue with a nurse, and a decision  
16 was made often without medical supervision, to  
17 restrain or otherwise confine people, and that this  
18 was occasionally done without medical participation.

19 So the correctional staff would, for  
20 example, use pepper spray in order to disable a  
21 patient who may have had a psychotic episode. And  
22 patients were put in either quiet rooms or  
23 restrained with or without medical supervision.

24 Q. Did you testify at a trial in that case?

25 A. I did.

1 M. Puisis

2 Q. Did you also testify at a deposition?

3 A. I'm sorry, I do not believe where I  
4 testified was in a trial, it was a hearing.

5 Q. Okay.

6 A. In front of a judge, and I do not believe  
7 I was deposed, but I'm not sure about that.

8 Q. You said that you've been an expert  
9 witness in other litigation?

10 A. In Michigan City, Indiana, I was deposed  
11 on a condition of confinement case, I believe in  
12 1985, and I'm not sure of the date, 1985, 1986.

13 MS. HUTNER: I want to note for the  
14 record I don't believe that was disclosed to us.

15 Q. Was there anything in that case that  
16 concerned the medical effects of correctional  
17 restraints?

18 A. No, there wasn't.

19 Q. Did you work with Gene Miller before this  
20 litigation?

21 A. I have, but I don't remember exactly  
22 where. He was a consultant for the Justice  
23 Department on one or two cases that I participated  
24 in. And I'm sorry, I can't remember the case.

25 Q. Do you remember approximately when?

1 M. Puisis

2 A. I don't. It was years ago.

3 Q. It was years ago; more than two, more  
4 than five, more than ten?

5 A. I'm guessing five. I think that would  
6 be a good guess.

7 Q. You've published articles and you have  
8 done presentations about tuberculosis and hepatitis  
9 B; is that right?

10 A. Yes.

11 Q. You've also had publications about  
12 sexually transmitted diseases in correctional  
13 facilities; is that right?

14 A. Yes.

15 Q. Have you had any publications on the  
16 medical effects of correctional restraints?

17 A. No.

18 Q. Do you believe that the issue of medical  
19 effects of restraint practices is an important  
20 health issue, correctional health issue?

21 A. In reviewing this case, I've come to  
22 believe that it is.

23 Q. You edited a book on correctional health;  
24 isn't that right?

25 A. That's true.

1 M. Puisis

2 Q. As editor-in-chief, did you determine the  
3 contents of the book?

4 A. I did.

5 Q. So that means you decided what to  
6 include?

7 A. I did.

8 Q. You picked which articles you would  
9 write?

10 A. It wasn't quite like that.

11 Q. How did it work?

12 A. I'm sorry?

13 Q. Did you write the articles nobody else  
14 would write? How did it work?

15 A. Sort of. The problem with the  
16 production of that text was that, it was hard to get  
17 people to commit to the work that was required.

18 And the schedule of the publisher was  
19 such that we just couldn't do everything that we  
20 wanted to do, and I had to help with a significant  
21 piece of the writing, assist people in the editing  
22 of different chapters.

23 Q. What did you want to do in that book that  
24 you couldn't do because of those restraints?

25 A. Emergency care, and there were just some

1 M. Puisis

2 topics.

3 Q. What other topics?

4 A. I don't remember off the top of my head,  
5 but I know there was one on an electronic record  
6 that the person just couldn't get done in time.

7 As I mentioned, emergency care. Off the  
8 top of my head, I can't remember more than that.

9 MS. HUTNER: Could you mark this as  
10 Puisis 2, please.

11 (Puisis Exhibit Number 2 was  
12 marked for identification.)

13 Q. Can you take a look at this document that  
14 has been marked Exhibit 2 in this deposition and  
15 tell me whether this is selected pages from the book  
16 that you edited?

17 A. Yes.

18 Q. I have a copy of the book here in case  
19 there is something that you need to refer to. I just  
20 thought it was unnecessary to copy the whole thing.

21 At any rate, this book focuses on -- the  
22 main sections of this book focuses on interaction  
23 with patients, general correctional medical issues,  
24 infectious diseases, women's health care, mental  
25 health and public health issues; is that right?

1 M. Puisis

2 A. Yes.

3 Q. The two articles that you wrote are on  
4 chronic disease management and on sexually  
5 transmitted diseases in correctional facilities; is  
6 that right?

7 A. That's correct.

8 Q. There is only one reference in this  
9 entire book to restraints; is that right?

10 MS. HUTNER: Off the record.

11 (Discussion off the record.)

12 Q. This reference is on page 91 of the book;  
13 isn't that right?

14 A. You are referring to what?

15 Q. Page 91?

16 A. Page 91 is here, that's correct.

17 Q. Do you know of any other reference in the  
18 book to any issue concerning restraints?

19 You are welcome to look through the book  
20 if you want.

21 A. I'm not sure. I think to look at the  
22 entire text and tell you whether there is or isn't a  
23 reference, I can't do it here.

24 I don't think there is a reference.  
25 There may be a reference in the section on



1 M. Puisis

2 pregnancy, and there probably is a reference to  
3 restraints in the mental health section.

4 I don't recollect if there is or isn't  
5 anywhere else.

6 Q. This reference on page 91 concerns  
7 shackling and hospital correctional units; is that  
8 correct?

9 A. That's correct.

10 Q. It doesn't mention rear-cuffing in  
11 particular; does it?

12 A. No.

13 Q. So these concerns apply to front-cuffing  
14 as well as to rear-cuffing; don't they?

15 A. This is a different context than I think  
16 what you are speaking about. You are speaking  
17 about cuffing, handcuffing, and the transportation  
18 of people, et cetera.

19 This is, I believe, in the section on the  
20 hospital secure unit and references the practice of  
21 correctional people shackling inmates to a bed with  
22 a single-point restraint under certain conditions.

23 For example, officers may consider  
24 pregnant women in labor a security risk and they may  
25 shackle them to the gurney, in labor or otherwise.

1 M. Puisis

2 Or someone who is in an acute care  
3 hospital for a medical condition may be in bed and  
4 shackled to the bed while on a secure unit.

5 And that's what this refers to.

6 Q. When you say "this," you are referring to  
7 page 91?

8 A. To page 91.

9 It does not refer to the cuffing of  
10 patients or inmates in a transportation setting.

11 Q. Is there a reason that you didn't include  
12 anything about the medical effects of handcuffs or  
13 other restraints other than the shackling and what  
14 you've described in the book?

15 A. I'm just thinking now, I mean, at the  
16 time I did not intentionally by design include or  
17 exclude shackling as an issue.

18 Now that I think about it, I have to  
19 admit that the situation at Cermak was one where  
20 there was not the use of chains or other devices to  
21 restrain people in transport, as I recall.

22 Therefore, it was not a major issue in my  
23 mind. And when I conceived the text, it was just  
24 something that I probably did not appreciate as much  
25 because it hadn't occurred as a practice.

1 M. Puisis

2 Q. Do you have any personal experience with  
3 side-cuffing?

4 A. I've never been side-cuffed myself, if  
5 that's what you are asking.

6 Q. I meant more as an observer?

7 A. No.

8 Q. Have you ever conducted or participated  
9 in any studies of the medical effects of restraint  
10 practices?

11 A. Could you repeat the question?

12 Q. Sure.

13 Have you ever conducted or participated  
14 in any studies of the medical effects of restraint  
15 practices?

16 A. Not studies.

17 Q. What, if not studies, then something  
18 else?

19 A. I've written policies on restraints on  
20 mental health units. And I've done that at least a  
21 couple of times, and I've done that in conjunction  
22 with psychiatrists and with experienced psychiatric  
23 nurses.

24 Q. Did your policies concern the use of  
25 correctional restraints for mental health inmates?

1 M. Puisis

2 A. There was that correctional officials or  
3 correctional officers, would utilize devices that  
4 were similar to medical restraints.

5 We were involved in commenting that that  
6 was not something that medical persons should be  
7 involved with in terms of approving or denying on a  
8 routine basis.

9 For example, it's not appropriate for a  
10 correctional officer to place a mental health  
11 patient in physical restraints for medical purposes.

12 Q. When you say devices like medical  
13 restraints, what sort of devices are you referring  
14 to?

15 A. A restraint.

16 Q. What kind of restraint?

17 A. Well, any restraint that's used on a  
18 medical unit.

19 Q. Does that include handcuffs?

20 A. Let me go back to what you were asking  
21 two questions ago, because I think I've lost the  
22 train of thought of where you are going.

23 Q. Okay.

24 A. If that's okay.

25 Q. Sure.

1 M. Puisis

2 Does that mean you want me to go back two  
3 questions?

4 A. Or ask me what you want me to answer.

5 Q. I thought I understood you to say that  
6 correction officers use devices like medical  
7 restraints.

8 What did you mean by that?

9 A. There are times when correctional  
10 officers on a mental health unit will place people  
11 on their own, without medical supervision, in  
12 medical restraints.

13 Q. What's a medical restraint? Is that like  
14 a straight jacket?

15 A. Four-point restraint, whatever device is  
16 used, or even handcuffs, for example, if an officer  
17 were to handcuff a patient to a bed.

18 Q. But the policies that you wrote concerned  
19 the use by correctional staff of devices intended to  
20 be medical restraints; is that what you are saying?

21 A. Yes.

22 Q. So the policies didn't concern the  
23 medical effects of devices used for security  
24 restraints; is that right?

25 A. With the exception that I was involved in

1 M. Puisis

2 the development of one policy at Cook County  
3 Hospital, with Dr. Raba, in developing a policy  
4 regarding guidance on the application of shackling  
5 in the hospital.

6 Q. What do you mean in this case by  
7 "shackling"?

8 A. Specific examples would be cuffing a  
9 woman in labor to a gurney.

10 Q. So is it like the issues that we were  
11 talking about in connection with page 91 of your  
12 book?

13 A. That's true.

14 Q. Do you agree that the effects of  
15 handcuffing depend on an individual's physical  
16 characteristics and condition?

17 A. Somewhat.

18 Q. What's the qualification?

19 A. I think there are conditions that  
20 predispose an individual to a higher risk of serious  
21 consequences, and I think that there are probably  
22 individuals, who because of their body shape or  
23 size, may have higher risk of injury or harm due to  
24 shackling.

25 You are asking about shackling per se?

M. Puisis

Q. No, I'm asking about handcuffing.

A. Okay.

Q. Is your answer still correct?

A. Yes.

Q. Do you agree that front-cuffing can also cause injury?

A. Yes.

Q. Do you believe that some level of discomfort from the use of handcuffs is acceptable?

MS. FREEMAN: Objection.

A. As a person or as a physician -- actually, they are both equal. I'd prefer that no one be harmed personally. As a physician, obviously we should not take a position that harm is appropriate.

I mean, we all take an oath that we will help patients, and it's not my business to tell the correctional people what they can and can't do, but to give them advice.

Q. Do you think that handcuffs are comfortable?

A. No.

Q. Do you think that the use of handcuffs in correctional facilities can be appropriate from a

1 M. Puisis

2 physician's point of view?

3 A. I don't think that's a question I have an  
4 answer to.

5 Q. Okay, well, let me ask you a different  
6 question then.

7 Do you believe that correctional  
8 facilities should stop using handcuffs?

9 A. Not necessarily, no.

10 Q. Given that handcuffs can be  
11 uncomfortable, as you just said, do you think that  
12 there is some level of discomfort that is acceptable  
13 in the use of handcuffs?

14 A. I don't like the word acceptable, and I  
15 don't really want to answer in that term.

16 I think correctional people have a very  
17 difficult job, and I appreciate what they do. And  
18 my role is not to tell them how to do their job, but  
19 to take care of the patients in situations where I  
20 have been an administrator. My role has been to  
21 give them advice on how best not to harm people  
22 doing what they have to do.

23 Q. Do you have an alternative to  
24 rear-cuffing that you recommend?

25 A. Are you asking me to give you an opinion



1 M. Puisis

2 about how a policy should be or what security should  
3 do?

4 Q. I'm asking you -- I should have tied it  
5 specifically to this litigation, because it is  
6 really specifically with regard to this litigation.

7 Do you believe that there is an  
8 alternative to the rear-cuffing that is used for Red  
9 ID inmates that is a preferable alternative?

10 MS. FREEMAN: Again, I'm going to  
11 object. Are you asking for a preferable  
12 alternative from a medical standpoint?

13 MS. HUTNER: Well, I think that's what  
14 Dr. Puisis is, that's what his standpoint is, so I  
15 guess so.

16 A. I guess I would feel comfortable doing  
17 that if I had a little more time to develop a  
18 position on what proactively they could do.

19 But I do believe that there are things  
20 that can be done to reduce the incidents of harm.

21 Q. What could be done?

22 A. I want to start by saying that I don't  
23 want to pin myself into a corner because to develop  
24 a policy for them, I would do a little bit more work  
25 in trying to understand the operations of what they

1 M. Puisis

2 have to do and talk to security.

3 But I do believe that harm that occurs  
4 due to these devices is in part due to the length of  
5 time in which individuals are restrained.

6 So duration of restraint is one issue  
7 that needs to be addressed.

8 The second issue is selectivity on the  
9 part of both corrections and medical staff in  
10 eliminating those individuals who either do not have  
11 a need for restraint, or may be harmed by restraints  
12 medically.

13 Let me be a little bit more specific.

14 I'm not a correctional expert and I don't  
15 pretend to be. But it would be my advice to the  
16 correctional authority to review their practice of  
17 selection of inmates for these devices, so that  
18 selection is considered very seriously.

19 Q. Can you be more specific about that?

20 A. I believe these are very high level  
21 restraints. It's not trivial. And I think that  
22 -- and it may already be done, I don't know what  
23 corrections does, but my advice to them would be to  
24 ensure that the process of selecting who is put in  
25 restraints is very carefully done, and with

1 M. Puisis

2 sufficient safeguards so that someone who is put in  
3 these restraints indeed is a -- a person who can  
4 cause harm.

5 Now, that's saying that without being a  
6 correctional expert, and I have no basis to make  
7 that other than I think this is a problematic type  
8 of restraint, that they would be best advised to be  
9 careful of.

10 The second advice I would give them would  
11 be medical advice relative to what I believe would  
12 be the potential for harm to persons who are put in  
13 these restraints, and to give them my best advice as  
14 to how to modify their practice for the safety of  
15 the patients and the inmates.

16 Q. Do you have an opinion either way about  
17 whether the New York City Department of Corrections'  
18 selection procedures are appropriate?

19 A. No, I have no information to make that  
20 evaluation, and I don't think I would even want to  
21 be in that position to make that decision.

22 Q. You said duration was an issue.

23 How long do you think is an acceptable  
24 amount of time for the restraints to be on a person  
25 continuously?

1 M. Puisis

2 A. I don't think I can give you a complete  
3 answer for all patients under all circumstances. I  
4 think there are two general areas of concern that I  
5 would have.

6 One is the potential neuropathies that  
7 can occur, nerve damage. And the second is the  
8 potential for pain. A third would be the potential  
9 for thromboembolic events.

10 And the fourth would be the adverse  
11 effects of complications due to a person's  
12 underlying conditions. And there may be others  
13 that I could think of, but off the top of my head,  
14 that is what I would say.

15 Q. Would you agree then that depending on a  
16 particular person's condition or tendencies, to the  
17 extent that those can be determined, there is some  
18 amount of time for which they can appropriately  
19 remain in Red ID restraints?

20 A. That's not quite the way I approach it.  
21 I take a different perspective. Let me make an  
22 analogy and then I'll come back to your question.

23 The analogy is to full leather restraints  
24 utilized for patients who are mentally ill. My  
25 understanding of current recommendations by experts

1 M. Puisis

2 is that restraining mentally ill patients should not  
3 be done at all if it is possible not to do it.

4 I believe that practitioners across the  
5 United States, particularly in nursing homes and in  
6 institutions for the mentally ill, are reducing  
7 significantly the utilization of restraints because  
8 of the harm that has ensued to patients.

9 So, you know, when you apply this to  
10 corrections, I'm not a correctional expert, but it  
11 would make sense to me that prudent people, or  
12 officials, would look at that and for liability  
13 purposes and for safety purposes would consider the  
14 use of a more severe restraint in the context of  
15 their need.

16 I can't tell security officers how they  
17 should restrain people. That's their business to  
18 know what is the best way to restrain people, but I  
19 think there probably are other ways that they could  
20 do this that may not lead them to the -- to safety  
21 and to health issues for the inmates.

22 Q. What if those other ways resulted in an  
23 increase in violence which causes a different kind  
24 of harm, what would you think about that?

25 A. I don't have an opinion for you, because

1 M. Puisis

2 it's not an area that I feel capable of giving you  
3 an opinion on.

4 These are correctional matters. I'm not  
5 a correctional official. And if there were a warden  
6 and a facility I was working in, I would tell them  
7 can't you find any other way to do this, but I don't  
8 tell people how to restrain people. I don't get  
9 into that business, it's not my field.

10 Q. From a medical point of view, do you  
11 think that there is some amount of time that persons  
12 for whom there are not medical contraindications can  
13 remain continuously in Red ID restraints?

14 A. It is my opinion that, I don't think  
15 anyone has an exact answer for you. I don't.

16 I would reference the model for restraint  
17 of the mentally ill, because restraints are  
18 restraints and a restraint is an immobilization of a  
19 limb in this case, or a part of the body, so that  
20 movement is reduced.

21 And using full leather restraints as an  
22 analogy, the general standard in the community is  
23 that every two hours persons in leather restraints  
24 are let up or nurses or caregivers will actively and  
25 passively move all limbs, all four limbs, allow the

1 M. Puisis

2 patient to take a bathroom break, and then reapply  
3 their restraint.

4 MS. FREEMAN: Off the record.

5 (Discussion off the record.)

6 Q. Are you suggesting that the full leather  
7 restraints for mentally ill patients are analogous  
8 to the Red ID restraints in their effect?

9 A. No, I don't think I'm quite saying that,  
10 but I think that we can learn from the experience of  
11 using these devices over the years.

12 Q. I'm sorry, were you finished?

13 A. No, that's about what I was going to say.

14 Q. Do you agree that Red ID inmates get  
15 bathroom breaks and other breaks during the course  
16 of the day?

17 A. When we took the tour, I was told that  
18 they get a bathroom break at lunch.

19 Q. If I told you that they get bathroom  
20 breaks on request, would that change your opinion?

21 A. What opinion?

22 Q. About the adequacy of these breaks?

23 A. For bathroom? I mean, I think people  
24 should be able to go to the bathroom when they need  
25 to go to the bathroom.

1 M. Puisis

2 Q. Right.

3 A. But --

4 MS. HUTNER: Off the record.

5 (Discussion off the record.)

6 A. But if you are asking -- but if you are  
7 generalizing from saying is it appropriate to let  
8 them go to the bathroom more than once and that's  
9 considered appropriate relief from the restraint, I  
10 think they are just different questions.

11 So if you can ask the question, I'll  
12 answer it. But I'm not sure I get it.

13 Q. When the inmates, the Red ID inmates go  
14 to the bathroom, in addition to whatever other needs  
15 they are fulfilling, don't they also get relief from  
16 the restraints?

17 A. I'm not sure. When I was on tour, I was  
18 told they get a bathroom break. When they get  
19 lunch, they are taken out of restraints. If there  
20 are other circumstances, I'm unaware of those.

21 Q. I'm telling you for the purposes of this  
22 deposition, and for the questions that we are  
23 discussing right now, that to please assume that the  
24 inmates get breaks more than just at lunchtime.

25 Whether you call them bathroom breaks or



1 M. Puisis

2 you don't, I'm asking you to assume that they get  
3 breaks during which time they are removed from the  
4 restraints, and that they have those breaks any  
5 time. If, for example, they request to go to the  
6 bathroom, in addition to the meal time.

7 And I'm asking you whether that effects  
8 your opinion of the adequacy of the breaks, assuming  
9 that that is true, whether that effects your opinion  
10 of the adequacy of breaks of the restraint from the  
11 Red ID inmates?

12 A. No.

13 Q. Why not?

14 A. Because it's selective, and not routine  
15 and not codified.

16 Q. Can you please clarify what you just  
17 said?

18 A. It would be one thing if the policy said  
19 every two hours persons are to be released from  
20 restraints for 10 minutes or 15 minutes for the  
21 purposes of going to the bathroom, stretching,  
22 whatever they wanted to do, versus an inmate at the  
23 discretion of the officers permitted to let an  
24 inmate go to the bathroom upon request.

25 Q. In terms of the medical effect on the

1 M. Puisis

2 inmates, if even without having such a policy  
3 written down that is the regular practice, does that  
4 change your opinion about the medical effects of  
5 these restrains or the medical advisability of the  
6 restraints?

7 A. No.

8 Q. Why is the medical effect different if  
9 the practice is the same regardless of what the  
10 policy says?

11 A. Well, from my experience working with  
12 corrections, and this is no slur to my colleagues in  
13 corrections, but many jails, the ones I've worked in  
14 and some of the ones I've seen, are busy  
15 institutions that are crowded and often with short  
16 staff, and not always pleasant officers. Some are  
17 pleasant and some are not. It's variable.

18 To depend on an arbitrary decision that  
19 is not codified in the security rules is to expect  
20 an exception, it's what it is. It's not something  
21 that must or must not be done, and I believe that  
22 the behavior of officers towards inmates is best  
23 regulated by rules.

24 It's a rule-based group, and I think that  
25 officers will respond to orders that are written and

1 M. Puisis

2 directive that give them guidelines.

3 After all, these are high risk, I'm  
4 assuming high risk individuals, and I think officers  
5 are probably reluctant to let them out, I would  
6 think.

7 Q. I understand what you are saying. I am  
8 asking you to assume, and I don't think you've done  
9 that yet for the purpose of my question, that in  
10 fact the officers are giving regular breaks to the  
11 inmates?

12 A. Yes.

13 Q. Does that affect your opinion, I mean  
14 assuming that that's happening, just putting aside  
15 your concerns about whether it could really happen,  
16 does that affect your opinion about the medical  
17 effect of these Red ID restraints?

18 A. No, and I think I understand what you are  
19 saying.

20 As an administrator, I understand that  
21 you cannot give advice or guidance to the  
22 correctional staff by assuming that someone will or  
23 will not be released from restraints in an arbitrary  
24 fashion to relieve themselves.

25 I think that you have to consider the

1 M. Puisis

2 general condition that they are in restraints and  
3 that the rules of security are XYZ. And in this  
4 situation, my understanding is, is that inmates are  
5 not permitted out of restraints upon request.

6 For example, if someone is numb, and I  
7 was with Mr. Conry, who I believe is a very  
8 high-ranking official, and in front of Mr. Conry an  
9 inmate said, "I can't feel my fingers, can someone  
10 see me?"

11 He may not remember that. I did, I was  
12 standing there, and I looked at him, and he looked  
13 at me. I'm speaking of Mr. Conry now.

14 That inmate was not released from  
15 restraints. So my point is, is that these are  
16 devices from which inmates are not released upon  
17 request if they have complaints that may be harmful.

18 Q. Would your opinion of the validity of the  
19 Department of Corrections policy be affected if  
20 there were specific guidelines in the applicable  
21 policies that dictated the frequency and duration of  
22 breaks from the restraints?

23 A. I think it would help. As I said  
24 before, my position is that I'm not a correctional  
25 expert, and my advice to the correctional people is

1 M. Puisis

2 if you can avoid these restraints, do so.

3 If they were to say there is no way to  
4 avoid it, I would, as a medical person, do my best  
5 to give them advice on what problems it would get  
6 into and, therefore, in that situation, I think  
7 frequent breaks would help to reduce the morbidity.

8 Q. Can you just define morbidity as you use  
9 it?

10 A. Harm to the patient.

11 MS. HUTNER: Off the record.

12 (Recess taken.)

13 Q. All right, let's get going.

14 Do you contend that any of the enhanced  
15 restraint equipment used by the New York City  
16 Department of Correction is not properly used?

17 When I say "enhanced restraint  
18 equipment," I mean the same as Red ID restraints.

19 A. I'm sorry, repeat the question.

20 (Record read.)

21 A. I think the mitts are not being used in  
22 accordance with product guidelines. I don't know if  
23 there are product guidelines for the restraints or  
24 the little black box they use to secure the wrists  
25 together. So I can't speak about whether devices

1 M. Puisis

2 are used appropriately.

3 I just think the manner of restraints is  
4 improperly applied.

5 Q. If I told you that the Department of  
6 Correction is now using latex gloves inside the  
7 mitts or non-latex gloves for persons who are  
8 allergic to latex and using a spray sanitizer  
9 between inmate uses, do you think that's  
10 appropriate?

11 A. I think it's appropriate to use it. I  
12 think that -- because of the length of time they are  
13 in, I think you can get some, you know, conditions,  
14 rashes, et cetera, that are related to sweating,  
15 similar to dishpan hands or long exposure of the  
16 skin to water, and you know, these are minor skin  
17 conditions, but they probably could occur, but I  
18 think in terms of the sanitary aspect of these  
19 devices, I think it is an improvement to wear latex  
20 gloves and sanitize the mitts.

21 Q. Do you think it's a sufficient  
22 improvement just in terms of the sanitary use of the  
23 devices?

24 A. I think it's an improvement to sanitize  
25 it, yes.

1 M. Puisis

2 Q. What else do you think would need to be  
3 done, if anything?

4 A. In terms of the sanitary aspect of the  
5 mitts?

6 Q. That's correct.

7 A. I think the length of time in the mitts  
8 is a problem because of the fact that people will  
9 sweat for a long period of time, and if it's a  
10 situation where an inmate will be in these  
11 restraints for consecutive days for a long period of  
12 time, they may develop a rash or other minor skin  
13 conditions.

14 So I think it would help if they weren't  
15 in for a consecutive long period of time, but in  
16 terms of the sanitary issue, I think that there has  
17 been an adequate response.

18 Q. Is it your understanding that inmates are  
19 given only one bathroom break, at 11:00 a.m.?

20 A. That is my understanding, yes.

21 Q. Is your opinion affected by that  
22 understanding?

23 A. In part, yes.

24 Q. If they had more bathroom breaks, would  
25 you have a different opinion about the practice?

1 M. Puisis

2 A. It depends what the rules were.

3 Q. You didn't conduct a physical examination  
4 of any of the Red ID inmates that you observed; is  
5 that right?

6 A. No, I did not.

7 Q. You visited the court pens only in the  
8 Bronx, right?

9 A. There were two areas we visited, the  
10 Supreme Court and the --

11 Q. The Criminal Court; is that right?

12 A. That's correct.

13 Q. Did you ask to see court pens in any  
14 other boroughs or in any other locations?

15 A. No, I didn't, no.

16 Q. You don't know what the conditions are  
17 like in those other boroughs; is that right?

18 A. I do not.

19 Q. On your tour of the Bronx Court  
20 facilities, you saw Red ID inmates going from the  
21 bus to the courthouse, right?

22 A. They were in the courthouse when the bus  
23 came to a Sallyport. The Sallyport was in the  
24 courthouse. That is what I saw.

25 Q. You saw them going from the bus into the



M. Puisis

building; correct?

A. Yes, they were already in the building. They went from the bus up the stairs and somewhere, elevators, I guess, and then into pens.

Q. In order to get out of the bus, they had to go down a couple of steps, right?

A. They climbed off the bus, they -- right.

Q. In order to get from the Sallyport to the rest of the building, they had to climb a flight of stairs; is that right?

A. That's correct.

Q. Nobody tripped or fell; did they?

A. No.

Q. You didn't observe anybody lose their balance; did you?

A. No.

Q. You've stated that you believe inmates should be escorted out of the bus; is that right?

A. I believe that, yes.

Q. Only in a situation where there are stairs or at any time?

A. Certainly with stairs. It depends what you mean by an escort, and it depends on the person, but in general I'm not sure that an escort would be

1 M. Puisis

2 necessarily required on a flat surface, but I think  
3 it would kind of depend.

4 Q. What would it depend on?

5 A. Well, there is probably infinite  
6 hypothetical here, but let's assume that the  
7 correctional people do not change or modify the  
8 group of patients who are appropriate for  
9 designation of Red ID.

10 In that case, I think many people should  
11 be escorted.

12 Q. Could you be more specific?

13 A. Well, I guess old people should be  
14 escorted. People with neurological impairments,  
15 ataxias, movement disorders. I suppose I could  
16 include a significant number of other conditions  
17 that --

18 Q. Do you have a basis for believing that  
19 anybody with any of the conditions that you just  
20 described is in fact in unmodified Red ID status --  
21 let me rephrase the end of that -- are they not in  
22 modified restraints?

23 MS. FREEMAN: I'm going to object as to  
24 form.

25 A. Well, it's a really hard question for me

1 M. Puisis

2 to answer because I'm not sure of the actual  
3 practice.

4 Q. That is what I'm asking.

5 Do you know about the actual practice at  
6 the facilities?

7 A. I understand about what is supposed to  
8 happen, but I don't understand what people actually  
9 do in all circumstances.

10 My suspicion, based on what I have  
11 reviewed, is that there is imperfect screening, that  
12 there are overrides by correctional staff of medical  
13 decisions.

14 There are screeners who are not  
15 physicians, and there may be other issues there, but  
16 that system is imperfect and, therefore, I would  
17 suspect that people who should not be in these  
18 restraints are in restraints, and there are people  
19 for whom modification has been requested for whom  
20 modification does not occur.

21 So in that sense, I would respond that  
22 that probably does occur.

23 Q. What is the basis for this suspicion that  
24 you just articulated?

25 A. I think in the medical records that --

1 M. Puisis

2 the two records that you have, there was a denial of  
3 a request by a medical person to modify the  
4 restraint, or to have the person not placed in  
5 restraints. So that answers that piece.

6 Q. In both of the records that you reviewed  
7 or just one of the two?

8 A. I don't remember, I think it's both.

9 Q. Do you know how many Red ID inmates there  
10 are in the department on any given day?

11 A. I believe Mr. Conry told me there were  
12 500.

13 Q. Based on your review of two medical  
14 records, do you believe that there is a  
15 widespread --

16 MS. FREEMAN: Objection. You are  
17 mischaracterizing the witness' testimony.

18 MS. HUTNER: Hard to do since I haven't  
19 gotten halfway through the question.

20 MS. FREEMAN: Go ahead.

21 Q. Based on your review of these two medical  
22 records, do you believe that there is a systemic  
23 problem of overrides by correctional staff?

24 MS. FREEMAN: I will object as to  
25 mischaracterizing the witness' testimony.

1 M. Puisis

2 MS. HUTNER: If I said something wrong,  
3 I don't think I characterized the testimony, but if  
4 he doesn't believe that, he can say so.

5 A. Well, I think that no one knows the  
6 answer to that, because I don't think there is any  
7 evidence presented to me that this process is  
8 actually reviewed in any organized fashion beyond,  
9 you know, beginning to count how many people are in  
10 restraints.

11 Or I think there was an attempt, at least  
12 to try to count how long people were in restraints  
13 while they were in court, but not the full length of  
14 time they were in restraints, but beyond that I  
15 don't think there is an organized study of what  
16 happens to these people.

17 So no, I don't have any evidence that  
18 leads me to believe that there is or isn't, beyond  
19 what I just said.

20 Q. Are these two medical records also the  
21 basis for your belief that there is imperfect  
22 screening?

23 MS. FREEMAN: Again, I'm going to  
24 object. I don't believe the two medical records  
25 were the only basis for his opinion.

1 M. Puisis

2 MS. HUTNER: Oh, well, if you have  
3 another basis, then please tell me.

4 A. Why don't you ask the question again and  
5 then I'll answer it.

6 Q. You told me about a suspicion that you  
7 have about problems that are occurring, or that you  
8 believe are occurring, and you told me that the two  
9 medical records that you reviewed were the basis for  
10 that suspicion.

11 Is there anything else that's the basis  
12 for that suspicion?

13 A. Dr. Park in his deposition said that --  
14 agrees that there were records where physicians were  
15 to screen, and it was a physician assistant, that he  
16 had not given specific guidance to physicians on  
17 screening, that he himself did not understand until  
18 very recently the nature of the restraint.

19 Q. What's the relevance of that last factor?

20 A. I'm just saying that there seems to be a  
21 general lack of focused examination.

22 Q. By whom? Of what?

23 A. By Dr. Park, by physicians as described  
24 by Dr. Park. And I just think that when I hear  
25 those kinds of comments, it makes me suspicious that

1 M. Puisis

2 there is a lack of review of the process.

3 In fact, the protocol is very general.  
4 And when I see that, as an administrator, and based  
5 upon my experience in corrections, I believe that  
6 that kind of execution of a plan leads to  
7 deficiencies.

8 Q. Other than your assumption that a general  
9 protocol leads to deficiencies in execution, do you  
10 have a basis for believing that there are problems  
11 with the screening?

12 A. You are going to have to be more specific  
13 by what you mean by "screening."

14 Q. When you said that based upon what you  
15 reviewed you believed there is imperfect screening,  
16 I'm just referring to whatever you meant.

17 A. Then let's be clear about what both of us  
18 are talking about.

19 I prefer you would ask a question about  
20 screening and specify what kind of screening you  
21 want.

22 Q. That's not what I'm trying to find out  
23 here.

24 A. What are you trying to find out here?

25 MS. HUTNER: Could you read the last

1 M. Puisis

2 question back?

3 (Record read.)

4 A. So my question would be what kind of  
5 screening do you mean?

6 Q. That's what I just said, whatever you  
7 meant when you said the screening was imperfect.

8 What did you mean when you said that?

9 A. You are going to have to read back what I  
10 said.

11 (Record read.)

12 A. There are two issues there. One is  
13 the --

14 Q. Let me make sure we are both in the same  
15 place here.

16 You are telling me what you mean by  
17 imperfect screening in that answer that you gave  
18 that was just read back?

19 A. Right. And there is an intake process  
20 where people are asked questions about their medical  
21 conditions. That is currently used as a screening  
22 device for whether someone is acceptable or not  
23 acceptable to use ID restraints. And the second  
24 part of that screening is the review of the record.

25 At times, my understanding is people are



1 M. Puisis

2 examined, but this is a very small percent, and it  
3 looks to me like less than 2 or 3 percent are  
4 actually evaluated.

5 So that's what I was referring to.

6 Q. What do you think is imperfect about  
7 that?

8 A. Well, first of all, the persons who are  
9 doing the screening are screening as an intake  
10 process. They are not screening for Red ID. So the  
11 information they collect is then subsequently used  
12 to screen for Red ID, but the intent of the  
13 screening is not to screen for Red ID.

14 So the kinds of questions and the focus  
15 of the history is not specifically meant to screen  
16 for Red ID.

17 Secondly, the persons who perform that  
18 screening evaluation, who are, I believe physicians  
19 and physician assistants, I'm not sure if physician  
20 assistants do intake examinations, but I believe  
21 they do, those individuals, to my understanding,  
22 based on Dr. Park's deposition that they are not  
23 specifically trained or knowledgeable about the Red  
24 ID status, have not seen the device, and are not  
25 provided any guidance as to what questions might be

1 M. Puisis

2 relevant to ask.

3 As a corollary, the chart reviews that  
4 occur of those, I believe imperfect screening  
5 histories are then evaluated by people who may or  
6 may not understand the medical consequences of Red  
7 ID restraints by virtue of the fact that some of  
8 them may not even know what the process is and may  
9 be uninformed as to the potential consequences.

10 Q. You keep calling this screening  
11 imperfect.

12 Are you suggesting that it should be  
13 perfect, or do you actually mean inadequate or  
14 something else?

15 A. "Inadequate" is an okay word.

16 Q. Is that what you mean?

17 A. Yes. It's -- less than adequate is a  
18 good term.

19 Q. You observed rear-cuffed Red ID inmates  
20 in the Bronx Court pens asleep on benches; didn't  
21 you?

22 A. There was one inmate who was asleep, or  
23 he looked like he was asleep.

24 Q. Did that inmate look precarious to you?

25 A. He did.

1 M. Puisis

2 Q. Did he fall off during the time that you  
3 were there?

4 A. I only watched him for less than a few  
5 seconds, but he didn't.

6 Q. But you were in the area for more than a  
7 few seconds, right?

8 A. Yes.

9 Q. And you might have noticed if an inmate  
10 fell off a bench in a cell, right?

11 A. True.

12 Q. You don't know what provisions are made  
13 for the medical care of inmates in court pens; do  
14 you?

15 A. I was told that anyone who has a  
16 complaint would have their complaint addressed by a  
17 medical person.

18 Q. Did you sit down in any of the Red ID  
19 compartments in the buses?

20 A. I did not.

21 Q. So you really don't know whether you  
22 could brace yourself with your knees; do you?

23 A. Well --

24 Q. From personal experience?

25 A. I was in a van that -- it's not

1 M. Puisis

2 identical, but somewhat similar to the situation,  
3 and it was tough to brace even with free hands.

4 Q. In fact, the van didn't have any separate  
5 compartments; did it?

6 A. I don't understand what you mean.

7 Q. The van did not have individual  
8 compartments for a single person to ride in; isn't  
9 that right?

10 A. No, but the arrangement was similar.

11 Q. Did you measure the distance between the  
12 back of the seat and the front of the partition in  
13 front of it, in the van?

14 A. No, I haven't.

15 I mean, I can guess for you.

16 Q. I don't need a guess.

17 A. Okay.

18 Q. You don't believe that Red ID inmates are  
19 transported in those vans; do you?

20 A. I have no idea.

21 Q. You said that inmates complained to you  
22 during the tour; is that right?

23 A. They did.

24 Q. How many inmates complained to you?

25 A. My recollection is every inmate offered

M. Puisis

complaints.

Q. Did you start a conversation with every inmate?

A. No.

Q. What did you ask them?

A. You know, I don't remember.

Q. Do you remember exactly what they said to you?

A. Well, I don't remember what they asked, and I don't remember what they said relative to the question I may have asked.

I think I did ask a couple of inmates questions, but I honestly don't remember, but I do remember the inmates complaining about the cuffs.

Q. You don't remember any specific complaints; is that right?

A. Yes, I remember one inmate was struggling against the cuffs, and was trying to get them off. And he said his hands were numb.

And he -- he said he was in pain and his hands were numb. He couldn't feel his hands.

Q. Were you concerned about secondary gain in any of your responses, that is in the responses that you received?

1 M. Puisis

2 A. In terms of what?

3 Q. Did you think it was possible that any of  
4 those inmates had a non-medical motive to get out of  
5 the restraints?

6 A. I think that's a possibility in any of  
7 these situations.

8 Q. You were personally placed in Red ID  
9 restraints; is that right?

10 A. Yes.

11 Q. You stayed in those restraints for less  
12 than five minutes?

13 A. Probably less than two minutes.

14 Q. Part of that time you were front-cuffed;  
15 isn't that right?

16 A. I don't think so.

17 Q. You didn't experience any loss of balance  
18 while you were in the restraints; did you?

19 A. I had a strange feeling that -- I'm not  
20 sure how to explain it. It's a feeling of being in  
21 a very awkward position.

22 Q. Is that the same thing as having a loss  
23 of balance?

24 A. Well, I didn't stumble, if that's what  
25 you mean, but when you say feeling of imbalance, I

1 M. Puisis

2 mean, I don't have vertigo so the restraints  
3 themselves didn't make me feel like I was falling,  
4 but it didn't feel very comfortable.

5 Q. Do you think in order to experience a  
6 sense of loss of balance in the restraints someone  
7 would have to have vertigo?

8 A. No, and I think a sense of a loss of  
9 balance doesn't have anything to do with an opinion.  
10 It's not that someone feels like they are going to  
11 lose balance, but it's the real potential that they  
12 could lose their balance and fall.

13 Q. You said that you had pain and slight  
14 bruising from the restraints; isn't that right?

15 A. Yes.

16 Q. An impression on your wrist?

17 A. Yes.

18 Q. What do you think that impression was  
19 from?

20 A. The compression of the cuff.

21 Q. That would have been the same if you were  
22 front-cuffed; wouldn't it?

23 A. I can't answer that.

24 Q. Have you ever been front-cuffed?

25 A. I have never been front-cuffed.

1 M. Puisis

2 Q. Other than if perhaps you were  
3 front-cuffed on that occasion, I'm not talking about  
4 that.

5 A. No, I have never been front-cuffed.

6 Q. Did you examine Gene after he was removed  
7 from the restraints?

8 A. I looked at him. I wouldn't call it an  
9 examination, but I looked at his hands.

10 Q. What did you look at?

11 A. I look at his wrists and his hands.

12 Q. What did you conclude?

13 A. Gene had edema and whether he has an  
14 underlying condition, I don't know, but he had edema  
15 and he had probably a quarter-inch impression where  
16 the cuff was circling the wrist, and in that area he  
17 had kind of a red bruise or an impression.

18 Q. Edema is swelling, right?

19 A. That's correct.

20 Q. Did you look at his wrists or hands  
21 before he was placed in the restraints?

22 A. No, I didn't.

23 Q. So he could have had the exact same edema  
24 beforehand; is that right?

25 A. He could have, I don't know.



1 M. Puisis

2 Q. And the quarter-inch impression where the  
3 cuff was circling his wrist could also have occurred  
4 if he had been front-cuffed; isn't that right?

5 A. Sure, it's possible.

6 Q. He mentioned to you that he felt pressure  
7 or tension in his upper arms; isn't that right?

8 A. I think he did.

9 Q. What was the explanation that you gave  
10 him for that pressure or tension?

11 A. I don't recall that I gave him an  
12 explanation. If you remember that I said something  
13 to him, maybe you could refresh my recollection, but  
14 I don't remember that conversation.

15 Q. I can tell you that in his deposition he  
16 said that you had given him a medical explanation  
17 for that sensation and he didn't remember what it  
18 was, so I thought maybe you remembered.

19 A. I'm sorry, I don't.

20 Q. Do you remember telling Mr. Miller that  
21 if he had remained cuffed he would have experienced  
22 swelling?

23 A. I don't remember that, but I believe it's  
24 true.

25 Q. Is that based on your observation and the

1 M. Puisis

2 edema after he was removed from the restraints?

3 A. That's true.

4 Q. Do you remember telling Mr. Miller that  
5 there was a good likelihood in a cohort of 100  
6 people that there would be a sizable number of  
7 injuries from those restraints?

8 A. Not specifically.

9 Q. Do you believe that that's true?

10 A. Yes.

11 Q. What do you mean by "good likelihood"?

12 A. Well, I would feel better if you asked a  
13 question related to that we were responding to a  
14 comment that was made by Gene that I couldn't  
15 evaluate and I need a clearer question.

16 Q. What do you think is the likelihood in a  
17 cohort of 100 people that there would be a sizable  
18 number of injuries?

19 A. From?

20 Q. From the Red ID restraints.

21 A. I am assuming that an average time in  
22 restraint is eight hours.

23 It's hard to give that answer unless I  
24 know exactly what cohort is, but I think in general,  
25 to answer your question, I believe that a sizable

1 M. Puisis

2 number, 80 percent or higher would have pain and  
3 maybe even 100 percent would have pain.

4 I believe that in the range of somewhere  
5 between 15 and 50 percent would have some type of  
6 neuropathy, whether temporary or transitory or  
7 permanent, and the rest of the conditions would  
8 really be variable, depending on the prevalence of  
9 the condition and the population.

10 Q. Depending on what?

11 A. The prevalence of conditions in the  
12 population and in this area.

13 I don't think anybody has a lot of  
14 experience with studying Red ID type restraints,  
15 because I don't believe in my experience a lot of  
16 people do it.

17 I've been in an awful lot of jails, and  
18 I've not seen this type of restraint used commonly,  
19 and therefore, I'm not sure that there has been a  
20 lot of experience in studying it medically. And the  
21 inmate population tends to be an understudied  
22 population, so it's hard to give you statistical  
23 information for which there is very little study.

24 Q. What's your basis for the percentages  
25 that you just gave me?

1 M. Puisis

2 A. I'm basing it on my own personal  
3 experience of experiencing of what these things felt  
4 like, and the fact that it is an unnatural posture.

5 And when people assume an unnatural  
6 posture for a long period of time, they tend to have  
7 pain.

8 Q. Did you read Mr. Bogart's report?

9 A. I'm not sure.

10 Q. David Bogart was retained by the  
11 defendants in this action as an expert.

12 A. I don't believe I did, no.

13 Q. Does it affect your opinion at all to  
14 hear that he did write a report, he was in rear  
15 restraints, he was in restraints for about an hour  
16 and said that he experienced some discomfort at the  
17 beginning, but less as the time wore on, and nothing  
18 that he would describe as pain?

19 A. It's possible, sure.

20 MS. HUTNER: Let's mark this as Puisis  
21 Exhibit 3.

22 (Puisis Exhibit Number 3 was  
23 marked for identification.)  
24

25 \* \* \*

1 M. Puisis

2 AFTERNOON SESSION

3 (Time Noted: 1:19 p.m.)

4  
5 BY MS. HUTNER:

6 Q. The declaration that is marked as Puisis  
7 Exhibit Number 1 is the declaration submitted in  
8 this litigation, right?

9 A. That's correct.

10 Q. You signed that declaration under penalty  
11 of perjury?

12 A. That's correct.

13 Q. In your declaration, you stated in  
14 paragraph 2 that rear-cuffing would inevitably  
15 result in a variety of musculo-skeletal and  
16 neurovascular injuries; is that right?

17 A. Yes.

18 Q. What was your basis for that statement?

19 A. My basis was the fact that this position  
20 stretches the joints and may compress the nerves in  
21 a manner that will lead to injury.

22 Q. Do you know any studies to support this  
23 claim?

24 A. I think I cited several studies in my  
25 report, and those are the studies that I used in

1 M. Puisis

2 part in forming my opinion.

3 Q. Had you read those studies when you wrote  
4 your declaration?

5 A. No.

6 Q. What was the basis of the statement in  
7 your declaration when you wrote it?

8 A. I read the abstracts, but not the  
9 studies. I read the studies at a later date.

10 Q. In your experience, are the abstracts  
11 always a good substitute for the studies themselves?

12 A. I think they reflect the general idea of  
13 the study. I think that's the purpose of it.

14 Q. How long is a study abstract?

15 A. I'm not sure I understand the question.

16 Q. How long is the abstract?

17 A. It's a paragraph.

18 Q. Is that a paragraph that's commonly  
19 reprinted at the beginning of the article itself?

20 A. Sometimes it's identical or it's taken  
21 from it. Sometimes it's not. But it's very  
22 similar.

23 Q. When you say "inevitably" in your  
24 declaration, do you mean that every rear-cuffed  
25 person will incur those injuries?

1 M. Puisis

2 A. No.

3 Q. What does inevitably mean to you?

4 A. Inevitably means if you assess the  
5 population of inmates that are cuffed, some will  
6 sustain injury.

7 Q. Is the proportion of those that will  
8 sustain injury the proportions we were talking about  
9 just before lunch?

10 A. Without asking you to -- I'm not sure  
11 exactly what you are referring to what we talked  
12 about before lunch.

13 Q. I'll describe it. You shouldn't be  
14 guessing here.

15 A. Sure.

16 Q. You said that you believe that 80 percent  
17 of those in a cohort of 100 would have pain -- I'm  
18 sorry, 80 percent or more and maybe 100 percent, I'm  
19 doing my best to quote you from my notes, I don't  
20 mean to misrepresent you, and somewhere between 15  
21 and 50 percent would have some type of neuropathy?

22 A. That's a guess, and I would agree with  
23 that.

24 Q. So when you say that in your declaration  
25 that rear-cuffing will inevitably result in a

1 M. Puisis

2 variety of injuries, and you just explained to me  
3 that means some inmates will sustain those injuries,  
4 are those the proportions that you are talking  
5 about, the ones I just stated to you?

6 A. Yes.

7 Q. Do you still agree with that statement?

8 A. Yes.

9 Q. Do you believe that temporary numbness  
10 and permanent nerve damage are of equal concern?

11 A. No.

12 Q. In your report which we marked just  
13 before lunch as Exhibit 3, which is also in front of  
14 you, you disagree with Dr. Park's statement that  
15 prolonged cuffing primarily causes temporary  
16 numbness?

17 MS. FREEMAN: Can you tell us where you  
18 are?

19 MS. HUTNER: Sure. At the top of page  
20 4, in the middle of that carryover paragraph at the  
21 top of the page.

22 MS. FREEMAN: Okay. Why don't we refer  
23 directly to the document, because I think the way  
24 you characterized the statement was not correct.

25 Q. Your report says, "Dr. Park also mentions



1 M. Puisis

2 that prolonged cuffing primarily causes numbness  
3 that occurs equally with front or rear-cuffing. I  
4 disagree."

5 What do you disagree with?

6 A. The word -- that occurs equally with  
7 front or rear certainly, and temporary I just think  
8 there is not -- because there is no studies on  
9 rear-cuffing, and because the medical staff at  
10 Rikers do not study the effects of rear-cuffing  
11 here, there is no basis to compare front and  
12 rear-cuffing, with the exception of our opinion as  
13 medical people as to the effects of rear-cuffing.

14 So it is my opinion that the rear-cuffing  
15 is more of a joint stress and may precipitate  
16 greater neuropathy than front-cuffing.

17 And in that respect, I disagree with Dr.  
18 Park.

19 Q. You say it may precipitate greater  
20 neuropathy.

21 Is that right, is that what you just  
22 said?

23 A. I think I did, yes.

24 Q. That means you are not sure that it will?

25 A. Well, it is my opinion that it will, but

1 M. Puisis

2 because it's not been studied, I have to qualify  
3 that.

4 Q. What's the basis of your opinion?

5 A. The basis of my opinion is that the  
6 studies on neuropathy that I cited identified -- or  
7 at least one of them identified that some of the  
8 risks for neuropathy may be struggling against the  
9 cuffs.

10 And in my opinion, rear-cuffing and in  
11 certainly one individual that I watched while there,  
12 inmates are not comfortable in this position, and  
13 they attempt to relieve themselves of the position,  
14 so they are at times struggling against the cuffs.  
15 And I think that that increases the risk of  
16 neuropathy.

17 And one more thing. In addition to  
18 that, the torsion of the wrists may exacerbate the  
19 problem.

20 Q. You observed one inmate struggling as you  
21 said with the rear cuffs; is that correct?

22 A. That's correct.

23 Q. What is your basis for saying that the  
24 torsion of the wrists may exacerbate the problem?

25 A. Well, if you think about it, putting

1 M. Puisis

2 palms in is not a very comfortable position.

3 Q. Do you mean in or out?

4 A. Palms out. Did I say in?

5 Q. Yes.

6 A. I'm sorry, putting palms out, hands  
7 behind your back is not a very comfortable position.

8 It is my opinion that people would try to  
9 find relief from the position, and in trying to find  
10 that relief, they would attempt to move, and in  
11 attempting to move, they would come up against the  
12 restraint, and in doing so they would incur a higher  
13 possibility of impression.

14 Q. So the basis for your statement that it  
15 is well established in medical literature that  
16 front-cuffing can cause neuropathy is those three  
17 studies that you cited in your report?

18 MS. FREEMAN: I'm sorry, are you  
19 referring to the document again?

20 MS. HUTNER: Yes, we are in the same  
21 paragraph on the top of page 4 of the report.

22 A. Yes.

23 Q. Have you read any other studies to that  
24 effect?

25 A. It's not a well-studied problem.

1 M. Puisis

2 Q. What do you mean by it is well  
3 established then?

4 A. That there is evidence that it occurs and  
5 it has been documented by neurophysiological  
6 studies, specifically I believe electromyograms.

7 Q. When you say torsion of the wrists, are  
8 you referring to the turning of the wrists so that  
9 the palms are facing out?

10 A. Yes.

11 Q. What's your definition of neuropathy?

12 A. Nerve damage.

13 Q. In your opinion, is temporary numbness an  
14 example of neuropathy?

15 A. Yes.

16 Q. Does temporary numbness cause permanent  
17 nerve damage?

18 A. It's not a logical question, because  
19 temporary is not permanent. That would be like  
20 saying is temporary equal to permanent, and it is  
21 not.

22 Temporary doesn't cause permanent. It's  
23 just a question that doesn't make sense, so I'm not  
24 sure how to answer it, and I'm not trying to be  
25 smart or anything.

1 M. Puisis

2 Q. You said neuropathy was nerve damage,  
3 right?

4 A. Yes.

5 Q. Do you think that damage could be  
6 temporary?

7 A. Yes.

8 Q. So then when somebody, for instance,  
9 falls asleep with their arm under their pillow and  
10 wakes up with their hand feeling what we call  
11 "asleep," at least over here in the world of lay  
12 people, then that's an example of temporary nerve  
13 damage?

14 A. No.

15 Q. What's the damage?

16 A. In the case you just stated, it would --  
17 it's a compression effect of the nerve.

18 Q. Can front-cuffing cause neuropathy as  
19 well?

20 A. Yes.

21 Q. Given that, do you have an opinion on the  
22 appropriate correctional response to the fact that  
23 cuffing can cause neuropathy?

24 A. I think I've already addressed this, but  
25 I believe that the correctional folks, in my

1 M. Puisis

2 opinion, should worry about selection of who should  
3 be cuffed and worry about the circumstances under  
4 which they should be cuffed so that they only use a  
5 higher level of restraint as absolutely necessary.

6 Q. That doesn't address the issue of  
7 front-cuffing causing neuropathy; does it?

8 A. I'm not sure I get the context of the  
9 question.

10 Q. If front-cuffing can cause neuropathy,  
11 then are you suggesting there are some individuals  
12 who should not be cuffed at all?

13 A. I think that probably would be true, yes.

14 Q. How would you identify those individuals?

15 A. The most obvious example is somebody who  
16 is quadriplegic. Why would you cuff someone who is  
17 quadriplegic?

18 Just as an example, off the top of my  
19 head, but -- and that's a most extreme example.

20 Q. A quadriplegic is somebody whose four  
21 limbs are paralyzed?

22 A. That's correct.

23 Q. This would limit the individual's  
24 likelihood of being an escape risk or a violence  
25 risk; wouldn't you say?

1 M. Puisis

2 A. Yes.

3 Q. Let's stick to people then who might be  
4 escape or violence risks.

5 A. Well, I have to tell you I've seen  
6 quadriplegics shackled, and it's just strange things  
7 happen in corrections, it's a fact of the matter  
8 that it happens, so I think there is a continuum of  
9 people that maybe shouldn't be shackled.

10 I don't think pregnant women should be  
11 shackled.

12 Q. Do you think that's true throughout their  
13 pregnancy?

14 A. Yes.

15 Q. Including the first trimester?

16 A. Yes. I don't think first trimester has  
17 anything to do with the situation.

18 Q. Why is that?

19 A. I just don't.

20 Q. Do you have a basis for that?

21 A. Well, let me back up. And I'd rather  
22 that you rephrase the question about shackling.

23 We've been talking about shackling in  
24 terms of putting a restraint on someone, and  
25 shackling, about putting a handcuff on someone. So

1 M. Puisis

2 I'd prefer if you are talking about Red ID  
3 restraints, I can go forward.

4 If you are talking about other shackling,  
5 I'd prefer you ask me which kind of shackling,  
6 because it may make a difference.

7 Q. Just now we were talking about  
8 individuals who should not be front-cuffed, and you  
9 identified quadriplegics as one, and then you said  
10 pregnant women shouldn't be.

11 Were you talking about front-cuffing or  
12 something else?

13 A. If you are saying front-cuffed in the Red  
14 ID manner, my recommendation to corrections is, I  
15 would be very much against it.

16 And if they did it, I would tell them  
17 that they had better take every precaution to ensure  
18 the safety of the person. And that includes any  
19 stage of pregnancy.

20 Q. So I take it that you are opposed to  
21 rear-cuffing in the Red ID manner for women in any  
22 stage of pregnancy; is that right?

23 A. That's correct.

24 Q. Why is that?

25 A. Well, for a couple of reasons. From an



1 M. Puisis

2 administrative reason, I think the liability is  
3 extremely high, that if there were an injury, that  
4 there would be litigation.

5 My advice to correctional officials that  
6 I would work with, if I were making the  
7 recommendation, would be it would be not prudent to  
8 put pregnant women in restraints because in the  
9 event of a fall, which I believe is quite possible,  
10 an injury to the fetus, they would be potentially  
11 liable.

12 Q. What about from a medical point of view?

13 A. From a medical point of view, I still  
14 believe for -- that you could injure the fetus for  
15 the same reasons that I recommended.

16 On a liability basis, there is a medical  
17 reason. The medical reason is if there is a fall  
18 and an injury, the fetus could be harmed. So there  
19 is an additional entity, fetus that would be harmed.

20 In addition to that, if there is  
21 immobilization, then there are two problems with  
22 women who are pregnant. There is the question of  
23 edema, because women are more prone to have edema,  
24 and the issue of clotting, because women are in a  
25 hypercoagulable state during pregnancy.

1 M. Puisis

2 I read Dr. Park's comments about that,  
3 and I will double-check, but my opinion, and my  
4 current belief, is that that state of  
5 hypercoagulability occurs because there is increased  
6 estrogen in the woman's system and that estrogen is  
7 of a result of the conception of the ova, and those  
8 changes occur shortly after fertilization. And that  
9 is what makes women more prone to increased  
10 clotting. And I think that occurs shortly after  
11 fertilization.

12 Q. What is it that you believe Dr. Park said  
13 that you are responding to?

14 A. I believe he said in his deposition that  
15 his only concern for pregnant women was in the  
16 second and third trimesters, but he didn't say or  
17 clarify what his concern was during that time.

18 He just said that he would not advise to  
19 put women in restraints who were in the second or  
20 third trimester, but didn't give a basis as to why  
21 they shouldn't be placed in restraints.

22 Q. In your declaration in paragraph 3, you  
23 indicated that subclavian steal was a likely  
24 consequence of rear-cuffing.

25 At the time that you wrote this

1 M. Puisis

2 declaration, had you ever observed subclavian steal  
3 in any circumstances?

4 A. Just to go back, did I say it was likely?  
5 I don't think I thought it was likely.

6 Q. You said that the restraint is tantamount  
7 to an exaggerated stretching of the subclavian  
8 artery of both arms in a manner that is likely to  
9 cause vascular compression, especially with deep  
10 breaths or turning of the head side to side?

11 A. I didn't quite say that. I said the  
12 posture of restraint is an exaggeration of the Adson  
13 test, which is a test physicians use to stretch the  
14 subclavian artery to test for compressions of  
15 subclavian artery.

16 Q. Right. The sentence I read was taken  
17 from paragraph three of your declaration; wasn't it?

18 A. It wasn't exactly what I said, but it was  
19 paraphrased.

20 Q. I don't believe that's the case.

21 Can you please read the sentence that  
22 starts, "So the restraint is tantamount"?

23 A. "So the restraint is tantamount to an  
24 exaggerated stretching of the subclavian artery of  
25 both arms in a manner that is likely to cause

1 M. Puisis

2 vascular compression."

3 Q. Can you finish?

4 A. "Especially with deep breaths or turning  
5 the head side to side."

6 Q. Do you still agree with that statement?

7 A. I do, but I do not want to, or I hope we  
8 wouldn't spend a lot of time -- I think this is a  
9 very unlikely scenario.

10 I think it's a possibility. I agree with  
11 what I said, but I don't think it's going to affect  
12 a large number of individuals at all, and I would  
13 not want to make my opinion sound as if this is a  
14 major portion of my opinion relative to why or why  
15 not these restraints should or shouldn't be used.

16 Q. I don't plan to ask you a lot of  
17 questions about it, but I do have a few questions,  
18 and given you put it in a sworn declaration, I want  
19 to explore it.

20 Had you ever observed subclavian steal in  
21 any circumstances when you wrote that?

22 A. Not personally, no.

23 Q. Why did you believe that a person with  
24 respiratory disease would be likely to have this  
25 condition?

1 M. Puisis

2 A. It was based on the physical diagnosis  
3 test that is used to diagnosis this condition.

4 Q. Do you know of any studies that support  
5 that conclusion?

6 A. Not off the top of my head, no.

7 Q. When you had originally included this  
8 statement in your declaration, did you investigate  
9 the likelihood of its occurrence from rear-cuffing?

10 A. There is very little literature on  
11 rear-cuffing period. There is obviously no  
12 literature in an unusual occurrence of rear-cuffing.

13 Q. Did you check?

14 A. Yes, I did.

15 Q. You found nothing?

16 A. No.

17 Q. In your report, in the footnote that's on  
18 page 3, you state that you believe that the  
19 possibility of this occurring, and I assume you mean  
20 subclavian steal syndrome, is remote; is that right?

21 A. Yes.

22 Q. Does this mean that you changed your mind  
23 about the position that you took in your  
24 declaration?

25 A. No.

M. Puisis

Q. What was --

A. I just don't want to move it to front center.

I'd like to say that it is a remote possibility. It's something that I thought about. I think it's a real possibility, but it is such an unlikely event and it is an uncommon position that it would be, as I said in the footnote, it's a distraction from the real issue, which I think that neuropathies and pain and the other things I'm talking about are the real issue here, and that I just think this would be a distraction.

Q. What caused you to put that footnote in your report?

A. You know, I thought about it a lot and I read Dr. Park's comments and I thought, you know, this is not going to lead to a productive and practical discussion of the real issue surrounding these restraints.

Q. In paragraph 4 of your declaration, you state that "stretching the brachial nerve is also likely to lead to neuritis or neuralgia in some individuals"; is that right?

A. Yes.

1 M. Puisis

2 Q. Do you still agree with that statement?

3 A. I read Dr. Park's comments about the  
4 brachial plexus and, you know, I agree that in terms  
5 of the terminology that what I'm talking about there  
6 is the brachial plexus. And if that is what you are  
7 getting at, I still agree with it, though I think  
8 that the position does stretch, the arm stretches at  
9 the shoulder, and the nerves and those structures  
10 that are within the neck entering the arm are  
11 stretched.

12 Q. Can you define neuritis?

13 A. It is an inflammation of nerves.

14 Q. What's neuralgia?

15 A. It's pain in the nerves, or pain that  
16 occurs because of an inflammation of the nerve.

17 Q. When you say it's likely to lead to  
18 neuritis or neuralgia, what do you mean by "likely"?

19 A. I think that if you look at the  
20 population of people that are restrained in this  
21 manner, some individuals will eventually develop  
22 neuritis or neuralgia.

23 Q. But "likely" doesn't mean most of them  
24 will develop one of these conditions?

25 A. Well, I think I gave you what I thought

M. Puisis

were some statistics on what people would get.

Q. This goes back to the 15 to 50 percent?

A. Yes.

Q. That to you is --

A. Well, actually, yes, it's -- I would answer in the same way.

Q. Do you think this statement is more accurate if you substitute the word plexus for the word nerve?

A. Yes.

Q. Have you reviewed any cases of brachial plexus stretching, leading to neuritis or to neuralgia?

A. I have personally seen people with brachial plexus injuries from trauma, and I cannot recall exact details, but I do recall in several, more than one, where people had injuries to their neck or shoulder area and had brachial plexus injuries.

Q. Did you ever see a case where a restraint caused either neuritis or neuralgia?

A. As I said, I have witnessed people with neuropathy.

Q. You've witnessed what people with



M. Puisis

neuropathy?

A. Prisoners.

Q. Okay, well, you just mentioned that you had personally seen neuritis or neuropathy or neuralgia, and I don't know whether you are using those terms interchangeably or not in persons who had been injured in a traumatic way. I'm asking whether you've ever seen any of these conditions in somebody in whom the conditions were caused by the manner of restraint?

A. You were speaking of brachial plexus injuries, and then you switched, I believe, to handcuffing and neuropathy.

Yes, I have seen people with handcuff neuropathy. Neuropathy is nerve damage. Neuritis is inflammation of nerves, and neuralgia is pain of nerves. So neuritis and neuralgia are subsets of neuropathy. So, therefore, if I seen neuropathy, it may have been caused by neuritis, and it probably included neuralgia. It may have included neuralgia.

Q. What you are saying in your declaration is that the Red ID restraints can cause neuritis or neuralgia in the brachial plexus?

A. From nerves that come from the brachial

1 M. Puisis

2 plexus, correct. Brachial plexus is a group of  
3 nerves that form out of the spinal cord and then  
4 reform into individual nerves that then enter the  
5 arm and shoulder, et cetera.

6 Q. So I don't think at this point we were  
7 talking about handcuffed neuropathy.

8 I think I was just exploring this  
9 statement in paragraph 4 of your declaration and I  
10 was asking you whether you had ever seen any cases  
11 of brachial plexus --

12 A. Neuritis from handcuffing?

13 Q. Neuritis from correctional restraints.

14 A. No, no.

15 Q. Have you ever personally seen an instance  
16 of carpal tunnel syndrome or other neuro injury  
17 resulting from rear-cuffing?

18 A. As I said before, rear-cuffing is not  
19 used in jurisdictions where I've worked to the best  
20 of my knowledge, so -- but I have not seen a case of  
21 carpal tunnel syndrome from that, but I've seen it.

22 Q. Have you seen carpal tunnel syndrome from  
23 handcuffing at all?

24 A. I've seen people with carpal tunnel  
25 syndrome, and whether it was caused from handcuffing

1 M. Puisis

2 is something I don't know.

3 I am sure, because I'm dealing with  
4 prisoners, that the people I have seen have been  
5 handcuffed. Whether that contributed to their  
6 carpal tunnel, that's something I can't answer  
7 because I don't know.

8 Q. You have no way of knowing either way; is  
9 that correct?

10 A. No, I don't think I do.

11 Q. You said that repeat visits to court  
12 could lead to permanent damage, right?

13 We are still in paragraph 4 of your  
14 declaration.

15 A. Yes.

16 Q. How often do you think the visits would  
17 have to be for the permanent damage to occur?

18 A. As I said before, the duration of time in  
19 restraints is a factor in the development of risk  
20 for injury, so the longer and more frequent a person  
21 is in restraints, the greater the risk and the  
22 greater the possibility that injury will occur.

23 And I can't quantify for you an exact  
24 number. It may be geometric. It may be linear, I  
25 don't have an exact answer for you.

1 M. Puisis

2 Q. So you don't have any basis for telling  
3 whether it would be linear or geometric?

4 A. The one study that I cited when the  
5 average duration of time in restraints increased  
6 from, I think it was an hour to three hours, or  
7 1-1/2 hours to three hours, the number of  
8 neuropathies doubled.

9 Whether that relationship would hold for  
10 every doubling of time is not clear to me, but, in  
11 any case, the duration of time I think does have an  
12 effect.

13 Q. Do you agree that numbness generally  
14 resolves in time?

15 A. I think that's an overly broad question  
16 that I'd like clarification of.

17 Q. Have you had experience with patients who  
18 have experienced numbness?

19 A. Yes.

20 Q. In what proportion of those cases has the  
21 numbness eventually resolved by itself?

22 A. I really would not know how to answer  
23 that. I mean, I've seen people with strokes who  
24 have permanent numbness, and I've seen people with  
25 permanent injuries and I've seen people in transit.

1 M. Puisis

2 Usually when people come to me with a  
3 complaint of numbness it's something more permanent  
4 or lasting.

5 Q. And the complaints of numbness that seem  
6 more permanent and lasting have causes like strokes  
7 or injury, is that what you are saying?

8 A. Trauma.

9 Q. What kind of trauma?

10 A. Blunt trauma, I believe that in the past,  
11 unfortunately, it's in terms of time period, I can't  
12 remember a single individual, but I have heard  
13 complaints of inmates telling me they can't feel  
14 after being handcuffed, but in terms of that being  
15 permanent, it's hard to assess, because I have no  
16 way to recollect what the time frame was from the  
17 time they complained to me to when they had  
18 handcuffs on, but it is something that's common  
19 enough I think with physicians working in jails, in  
20 particular that I feel fairly comfortable saying  
21 that to you.

22 Q. Saying what specifically?

23 A. That inmates have complained of  
24 long-lasting numbness.

25 Q. What does "long-lasting" mean?

1 M. Puisis

2 A. Well, from the time that I'm talking to  
3 them to the prior time of application of cuffs.

4 Q. How long was that?

5 A. Like I say, I don't remember, but I  
6 worked in a jail where from the time people would  
7 have had handcuffs on to the time they see me, maybe  
8 anywhere from several hours to a week or two.

9 Q. You don't know which of those inmates  
10 were coming to you with complaints?

11 A. No.

12 Q. You didn't follow-up with any of them?

13 A. Follow-up is very difficult. People get  
14 discharged from jail and you lose contact.

15 Q. So you didn't follow-up with those  
16 inmates?

17 A. They were discharged. There is no way to  
18 follow-up. That's correct.

19 Q. How often have you personally observed  
20 cases of pulmonary embolisms?

21 A. I think probably a couple of dozen, maybe  
22 more.

23 Q. What were the causes of those embolisms?

24 A. I mean, I don't have written case reports  
25 for you on each one of these, so....

1 M. Puisis

2 Q. Do you remember any of them?

3 A. I know several. I mean, I've already  
4 cited several for you on deep vein thrombosis.

5 Q. How many subclavian embolisms have you  
6 ever seen?

7 A. I think I've seen one, but, you know it  
8 was back when I was at County as a resident, and I  
9 don't have a good recollection of that. It would  
10 have been years ago.

11 Q. Have you read about any cases of  
12 subclavian embolism in your review of the  
13 literature?

14 A. It's reported, yes.

15 Q. In the literature, what are the causes  
16 that are given for that?

17 A. Currently the causes are placement of a  
18 central catheter or malignancy.

19 Q. I'm sorry?

20 A. Central venous catheter malignancy, and  
21 in fact, I hate to bring it up, but subclavian steal  
22 is mentioned.

23 MS. HUTNER: Can we take a break for  
24 just a moment?

25 (Recess taken.)

1 M. Puisis

2 Q. When we were talking earlier in this  
3 deposition about embolisms, I believe we were  
4 talking about pulmonary embolisms; is that right?

5 I can elaborate a little for you.  
6 According to my notes, you were talking about  
7 pulmonary embolisms that were caused by restraints  
8 which were a risk factor for deep vein thrombosis?

9 A. Right. For the most part a thromboemboli  
10 in that context refers to pulmonary emboli. That's  
11 only because that's where the clot goes.

12 Q. So it could have started anywhere?

13 A. No. In other words, the clot starts from  
14 somewhere but travels. Once it travels, it's a  
15 thromboemboli. It usually travels to the lung  
16 because that's where blood is returning.  
17 Theoretically it could go anyplace.

18 Q. Are you aware of cases where pulmonary  
19 embolisms were caused by thrombosis of veins in the  
20 arms?

21 A. As I said, it's described, it's  
22 considered a more common problem than is generally  
23 thought, but --

24 Q. What does that mean, more common than  
25 generally thought?



M. Puisis

1  
2 A. When I mentioned to you that I did a  
3 little research on this, and one of the abstracts  
4 said that, so all I'm doing is kind of citing what I  
5 read. But in my experience, I have never seen an  
6 upper extremity thromboembolia.

7 Q. In your opinion, what are risk factors  
8 for increased clotting?

9 A. There is a long list, I probably can't  
10 remember the entire list. The list can be found in  
11 a textbook of medicine.

12 I wouldn't want to be held to any list I  
13 gave you, but I will take a stab at it.

14 There are a number of clotting factors  
15 that when they are present they are to increase  
16 clots, Protein C, Leiden factor, cardiolipin,  
17 lupus anticoagulant.

18 Those clotting factors, when they are  
19 present, may result in increased or do result in  
20 increased risk of clotting.

21 In addition, certain malignancies result  
22 in increase risk of clotting, certain states. For  
23 example, pregnancy results in increased risk of  
24 clotting due to the estrogen compounds. Using oral  
25 contraceptives or estrogen compounds is a risk for

1 M. Puisis

2 clotting, which incidentally is why smoking and  
3 taking oral contraceptives is not a good idea,  
4 particularly over the age of 35, because there is an  
5 increased risk of clotting.

6 Prolonged immobilization or  
7 immobilization of a limb is a risk for clotting.

8 Do you want me to go on?

9 Q. No.

10 A. There is a fairly large number of  
11 conditions.

12 Q. Do you believe that any of these risks  
13 can be tested so that the likelihood of being at  
14 risk for clotting is predictable?

15 A. Some you can and some you can't. For  
16 example, doing blood tests to determine if someone  
17 has lupus antibody is not a very practical thing to  
18 do, and I don't believe that a screening test for  
19 that would be cost effective or practical.

20 Q. If there were regular breaks and the  
21 inmates could move their wings around regularly for  
22 some period of time, do you think that would reduce  
23 the risk of clotting?

24 A. Substantially.

25 Q. Can you say how long you think those

1 M. Puisis

2 breaks should be?

3 A. I think a five to ten minute break.

4 Q. How often?

5 A. Two hours.

6 Q. In your report at page 5, you say that  
7 prolonged ischemia may potentially harm some  
8 individuals.

9 What do you mean by "prolonged"?

10 A. I didn't have a specific time frame in  
11 mind, I just -- I think.

12 Q. Do you know?

13 A. No, not particularly, but for example, I  
14 think anything more than a half hour, 15 minutes  
15 cutting off circulation is a problem.

16 I will say this. I did a rotation  
17 orthopedic surgery. And orthopedic surgeons, when  
18 they operate on a limb and they want to reduce the  
19 bleeding during surgery, will put a tourniquet on  
20 the proximal part of the extremity, and by  
21 "proximal," I mean the area closest to the trunk of  
22 the body.

23 So for example, if they are operating on  
24 a hand, they will put a tourniquet on the upper arm  
25 and they will constrict the blood flow to the arm,

1 M. Puisis

2 and when they do that, they have a time factor where  
3 they have to release the tourniquet in order not to  
4 lead to damage.

5 I believe that that time frame was  
6 derived by either experience or their opinion as to  
7 whether harm could come to the person they were  
8 operating on.

9 That was about two and a half hours for  
10 an arm, and I think the leg there is an equal time  
11 period. Granted, this was years ago that I did  
12 that, but whether that still holds is something I'm  
13 not aware of, but at that time, it was kind of a  
14 definite time frame that you could put a tourniquet  
15 on for sewing.

16 You didn't want to do it more than that  
17 because tissue damage may result in the lack of  
18 blood flow from the body to the extremity.

19 Q. What's your basis for saying half hour to  
20 15 minutes? You just said that a moment ago.

21 A. Well, let me say that there are  
22 individuals who may have conditions for which any  
23 ischemia is not a good idea.

24 For example, persons who have underlying  
25 arterial disease, for example, cholesterol deposits

1 M. Puisis

2 or narrowing of the arteries, whether congenital or  
3 acquired, and those individuals would have a  
4 potential, that would mean that less duration of  
5 time could harm them.

6 For most people, I think they can  
7 tolerate the longer period of time if they were  
8 healthy.

9 Q. Which longer period?

10 A. The period I described.

11 Q. Half an hour to 50 minutes?

12 A. No, the 2-1/2 hour period.

13 But with people for underlying disease, I  
14 think a shorter period of time could cause harm.

15 Q. Do you have any knowledge that the  
16 tourniquet the orthopedist used in surgery caused  
17 the same decrease in blood flow as handcuffs might?

18 A. No. It's obviously different, and I  
19 don't mean to imply that it is, it's a different  
20 tourniquet. It has a different purpose. And it in  
21 no way restricts blood flow in the same way.

22 I only bring it up as an example of what  
23 I do know in general about this.

24 The handcuffs are very superficial. It  
25 has nothing to do with the deep arteries. It is not

1 M. Puisis

2 constricting blood flow. It's the posture even  
3 more than the constriction of the handcuffs, that if  
4 there is an anatomical problem, and subclavian steal  
5 is one of those where someone has a variant, an  
6 anatomical variant or an artery that's not normal  
7 and they are put in this posture, they could have a  
8 severe diminishment. And as I said before, this is  
9 an unlikely situation, but it can occur.

10 Q. Ischemia is what happens when you cross  
11 your legs for too long, right?

12 A. Partly, but that's also kind of a  
13 temporary neuropathy.

14 Q. How can you tell which it is?

15 A. I'm not sure you can tell exactly. The  
16 lack of sensation is probably a neuritis, but the  
17 nerve artery veins run in bundles. They are usually  
18 together, so if you are compressing one you are  
19 usually compressing the whole bunch, and I'm not  
20 sure it's always possible to tell which is which.

21 Q. In paragraph 6 of your declaration, you  
22 mentioned cases that you've reviewed of incarcerated  
23 individuals in four-point restraints who suffered  
24 pulmonary emboli and died.

25 Are those the cases that you were

1 M. Puisis

2 referring to at the beginning of this deposition?

3 A. Right.

4 Q. Do you agree that musculo-skeletal  
5 problems are likelier to exist in persons with  
6 preexisting back, neck and shoulder injuries than in  
7 persons without?

8 A. Yes.

9 Q. Do you still agree with everything you  
10 said in this declaration?

11 A. I'm not going to read it right now, but I  
12 -- as I recollect I do. I just think that this was  
13 written kind of on a very quick notice, you know,  
14 give me a letter to describe this, and I did that.  
15 And I gave them some of the things that were going  
16 on in my head about it.

17 I still agree with it, though these  
18 conditions are all possible. I just would not like  
19 to emphasize some at the expense of practical  
20 considerations.

21 Q. Did you review any transportation records  
22 relating to Red ID inmates?

23 A. I believe I was shown a group of papers  
24 where they documented the time frames that people  
25 were -- arrived in court, when people left court and

1 M. Puisis

2 the duration while they were in the court building  
3 that they had restraints on.

4 Q. Who showed you those documents?

5 A. Lisa did.

6 Q. That's Ms. Freeman?

7 A. Ms. Freeman, I'm sorry.

8 Q. Did you draw any conclusions from your  
9 review of those transportation records?

10 A. I looked at a small sample. Two things  
11 struck me. One was that they were not all in the  
12 same form, so that there was not consistency on how  
13 it reported specifically.

14 Some forms did not include the initial  
15 time that the inmate was placed in restraints. Some  
16 forms had a space to identify what time the inmate  
17 was placed in restraints at the facility, but it was  
18 not filled out in all cases. So there was a  
19 combination of ways of reporting it.

20 And in no case, and I didn't look at a  
21 lot of records, I looked at maybe -- leafed through  
22 very briefly a couple of dozen, and in no cases did  
23 I see the total time in restraints from the facility  
24 to when they arrived at the facility and the  
25 restraints were taken off.



M. Puisis

Q. Is that because it hadn't been totaled up on the form, or because you didn't have the information on the form necessary to calculate that?

A. Both. In some it just didn't have that information down there, and on some it had the time they arrived in court and the time they left court, and nothing else.

In others, it had the time they left the facility and the time they arrived at the facility, but it didn't indicate that is when they had the restraints put on.

In other words, I have no reason to believe they are being deceptive, but that wasn't clear, but it looked like it took about an hour, an hour and 15 minutes to get back and forth from the court, from the group of facilities I looked at, so I was under the assumption you add two hours to that time.

Q. I am sorry, two hours to what?

A. To the total time they listed -- in other words, I looked at maybe six or seven of these forms and on each of them it was about an hour, hour and 15 minutes transportation time, more or less.

Q. So why are you suggesting adding two

M. Puisis

hours?

A. Because it's an hour one-way and an hour back. That's what they wrote.

Q. From the time that they arrived at the court facility?

A. From leaving the facility to arriving at court.

Q. So the time that they left the facility was not included? You are saying you would add an hour to that?

A. I was making an assumption that maybe that was it.

Q. Did you review any courthouse logbooks relating to Red ID inmates, either on your tour or subsequently?

A. I don't believe I did. I know Gene Miller reviewed some kind of log when we were on tour, but I did not, to the best of my recollection.

Q. On page 2 of your report, you state that you traveled in a van that was designed for inmate transportation.

We talked about the van a little bit earlier -- I'm sorry, I'm going to withdraw that.

When you were talking about these vans ,

1 M. Puisis

2 you say in your report that you do not believe that  
3 these transportation arrangements are safe; is that  
4 correct, at the very end of that carryover paragraph  
5 on the top of page 2?

6 A. Right, that's exactly what I said.

7 Q. Specifically what do you believe is  
8 unsafe?

9 A. When inmates ride in a vehicle, they are  
10 starting and stopping, they are accelerating  
11 decelerating, so there is movement.

12 When Red ID restraints are used, the  
13 inmates have their arms behind them, they have no  
14 means to protect themselves in the event of an  
15 acceleration or deceleration movement that causes  
16 them to move. So these are free weight, and they  
17 could potentially fall against or fly against the  
18 front of the cages they are in and hit themselves.

19 Q. If an inmate were front-cuffed with the  
20 cuffs attached to a waist chain, wouldn't that be  
21 the same?

22 A. Almost, I think it's a little more  
23 protective to have your arms in front of you, but,  
24 mainly because it could protect you from hitting  
25 your face.

M. Puisis

Q. Even if your wrists were fixed at about waist level?

A. Yes, there is not a lot of difference. I just think that it's a proven fact that seat belts save lives, and the reason is that it restrains people in the event of an accident or in the event of, you know, some type of movement of the vehicle where people might lose control. And it saves lives, it just does.

Q. Are you aware that New York State law does not require seat belts on buses like the inmate buses?

A. With Red ID restraints?

Q. That New York State law does not require seat belts on any New York State buses?

A. I just think Red ID restraints were not considered when the legislators wrote the law, and that the legislators probably assumed that people had the free use of their limbs to protect themselves.

Q. You think the legislators assumed that prisoners were travelling without handcuffs?

A. I don't know that they considered the prisoners at all when they wrote the legislation.

M. Puisis

Q. You don't know either way; is that right?

A. I don't.

Q. You recommend putting padding in the cages; is that right?

A. I think that's one alternative.

Q. Are you aware of any security concerns relating to doing that?

A. It's not for me to say, I don't know. There may be.

Q. If it turned out that there were serious security concerns, would that change your mind about padding the Red ID inmates on the buses?

A. Let me put it this way, I think that the security people and the medical people could discuss this issue and make some accommodation to more safely transport people, but I agree that there are probably security concerns and they should be taken into consideration. I don't disagree with that.

Q. Do you agree that any resulting solution will likely be a compromise between security and medical concerns?

A. That's the way life is.

Q. Are you aware of any security concerns about having seat belts on the inmate buses?

1 M. Puisis

2 A. I imagine there are. I just think that  
3 if people are in Red ID restraints, some of the  
4 security concerns are -- have less merit, because  
5 people are so restrained that they literally can't  
6 take advantage of the opportunity to use the  
7 restraint, but then again I am not a security person  
8 and I don't pretend to even want to tackle that  
9 problem, but I can imagine.

10 MS. FREEMAN: Off the record.

11 (Discussion off the record.)

12 Q. On page 3 of your report, you say that  
13 the correctional health services policy does not  
14 give medical staff sufficient guidance; is that  
15 right?

16 A. Yes.

17 Q. What guidance should be applied for who  
18 should or should not be placed in these restraints?

19 A. I wouldn't want to be held to a  
20 limitation on what guidance exactly I would give,  
21 but I will give you how I would proceed with  
22 providing the guidance.

23 Q. That's fine.

24 A. Because I think I have done this in other  
25 situations at the Cook County Jail on a number of

M. Puisis

occasions.

When a situation like this occurs, I would, for one, think about it for a fair amount of time and I generally would, you know, look at what was occurring.

For example, we did the restraint policy where people were shackled, and we actually went and looked at the women who were shackled who were in labor while it was happening.

And then what I do is I discuss the process with colleagues who I trust, who I think have prudent judgment, and are good physicians and have no agenda one way or another to, you know, either, you know, in any prejudice against any party.

Then I would review medical literature that I thought was important. I would come up with a group of recommendations, and in my case, at Cermak, I would present it to the physicians at a meeting for a discussion, because I believe that no one person can really, you know, develop these policies, and I think the people who actually practice have a lot of say about what they encounter.

1 M. Puisis

2 For example, the physicians who are  
3 seeing patients at intake or the physicians who are  
4 examining the Red ID patients for clearance or  
5 reviewing the charts unquestionably have questions  
6 and comments that they make, and they will let the  
7 senior physician know that there may be problems in  
8 certain areas that the senior physician never  
9 contemplated. These things happen all the time.

10 So I'd absorb all that information and  
11 sit down with a group of people I trusted and make a  
12 decision and discuss it with the correctional folks,  
13 get some comments, do a couple of revisions like  
14 that and --

15 Q. Understanding that you haven't sat down  
16 and thought about this policy --

17 A. Right.

18 Q. -- what categories of guidance would you  
19 include in such a policy?

20 A. Let me cut to the chase for you in terms  
21 of trying to think -- help you with what you are  
22 getting at.

23 Off the top of my head, I think that my  
24 opinion about this process is the duration of time  
25 people are in restraints, and the kind of screening



1 M. Puisis

2 and elimination of people who are at higher risk.

3 I would hope, and I don't want to get too  
4 far into this, but my advice to the correction folks  
5 would be, be more selective who they put in.

6 In other words, is someone really violent  
7 and is it imperative that this character be put in.  
8 That individual may need to be restrained in a  
9 serious type of restraint, and I don't have any  
10 problem with that, but I would hope they were doing  
11 that because there are 4 percent of people who are  
12 in these types of restraints.

13 Having said that, my recommendation would  
14 be to include in the intake form that they currently  
15 use, a direction as to what type of persons should  
16 not be screened and ask those questions at the  
17 intake exam.

18 Q. What types of persons should not be  
19 screened?

20 A. Should not be included -- given Red ID  
21 restraints, I'm sorry.

22 In other words, I am assuming that you  
23 are going to use the chart reviews of the intake  
24 exam, history and exam to make the determination  
25 that they should or should not be in Red ID

M. Puisis

restraints.

There are different ways to do that, one of which is require every inmate receive an examination before going into restraints. That's one option.

If the facility finds that it is better to use the intake exam sheet, then they should ask questions that specifically address the risk factors for Red ID restraints. And I'll give you a quick digression and example.

When the HIV epidemic began, at that time history and physical examination focused on the risk factors for HIV, and at that time into the intake screening examination questions appeared in many jurisdictions. In fact, now in almost all jurisdictions about risk factors for HIV, because this is the popular we care for.

Those included did you have a transfusion? Do you share needles? Have you had sex with men? So the practitioners can identify those individuals who are at risk who may be at a higher risk for HIV.

In a similar vein, in whatever format you would choose to screen people, whether that is via

1 M. Puisis

2 the intake chart with a follow-up examination or by  
3 examination, you ought to ask more focused questions  
4 that determine if someone has a risk for injury.

5 And that would include, for example,  
6 prior surgery on upper extremity joints, histories  
7 of carpal tunnel syndrome, you know what I mean, you  
8 know that Dr. Park or whoever could determine what  
9 appropriate risk factors exist and include those  
10 questions in the format.

11 MS. HUTNER: Off the record.

12 (Discussion off the record.)

13 A. I want to complete my idea there that the  
14 current method is to use the intake history as the  
15 screening device.

16 You could equally and perhaps in a better  
17 fashion decide that anyone who is going to be put in  
18 Red ID have a separate history taken, because if  
19 that were done, the practitioners would understand  
20 that the entire focus of the history is to eliminate  
21 those at risk for Red ID.

22 When people are doing intake exams, their  
23 focus is not specifically on Red ID; in fact, I  
24 think it's probably the last thing on their mind, so  
25 therefore a stronger recommendation would be to have

1 M. Puisis

2 a screening device, an instrument and a series of  
3 questions that they would ask that would identify  
4 those who may need an examination.

5 I'm not saying everyone should be  
6 examined. I'm saying it wouldn't be a bad idea,  
7 there would be a triage mechanism that at a minimum  
8 would start with a questionnaire, and for those with  
9 some risks would result in examination and  
10 identification of those who are likely, and then  
11 physician judgment could be applied.

12 Q. Do you believe that this screen device  
13 that you are suggesting would provide sufficient  
14 guidance to the physicians who are making the  
15 initial and monthly medical reviews of Red ID  
16 placements?

17 A. It could, yes, I think it could.

18 Q. Do you believe that in-service training  
19 could provide the necessary guidance?

20 A. I think it would be mandatory to have  
21 some type of explanation to the staff about what  
22 they were required to do. I don't think it's  
23 entirely difficult, but I think it could be and  
24 should be done.

25 Q. What would you do in the event that you

1 M. Puisis

2 have a hypothetical inmate who security determines  
3 legitimately is a high security risk, and medical  
4 staff determined has some risk factor associated  
5 with Red ID restraints?

6 A. Hypothetically speaking, I don't run --  
7 physicians don't run correctional institutions.  
8 Security people do. Medical people give them advice  
9 and medical people provide medical care.

10 If a security imperative is determined by  
11 security officials to be paramount they do what they  
12 do regardless of what anyone says or with guidance  
13 of what people say, but they still do it.

14 I'm sure they take into consideration  
15 their own liability in that manner, and I think  
16 that's about what I want to say.

17 I don't think we could -- medical people  
18 ever force corrections to do anything. I think they  
19 take our recommendations under advisement. And what  
20 else are we going to do?

21 Q. Do you believe that someone who claims to  
22 have asthma but doesn't use an asthma pump can be  
23 rear-cuffed?

24 A. I think the determination of who has  
25 asthma and who doesn't have asthma should be a

M. Puisis

physician decision, but I believe that some inmates will say they have asthma and do not, simply for the purpose of getting in or out of restraints, let's face it, that will occur in I think some cases, but I believe that decision should be made by a physician.

Q. Do you believe it would be reasonable for a physician to take into account whether or not the inmate has ever requested or used an asthma pump?

A. I think that's a reasonable consideration in order to determine whether someone has asthma.

And moreover, some people with asthma have asthma but it is not current, it may not be continuous, and it may have been something that affected them intermittently in the past and so yes, it's physician's judgment based on circumstances that exist.

Q. You state in your report on page 3, "In a jail setting, there is always pressure, implicit or explicit, on medical staff to go along with security staffs' inclinations and not raise potential medical contraindications."

What's your basis for that statement?

A. Personal experience and having

1 M. Puisis

2 interviewed and talked to multiple health care  
3 practitioners in many jurisdictions, in many jails  
4 and prisons in the whole country.

5 Q. Do you have any specific knowledge about  
6 such pressures in the New York City Department of  
7 Correction?

8 A. No. I mean, as a matter of fact, this  
9 was the first visit to Rikers Island I've ever made.

10 Q. When you say personal experience, do you  
11 mean that you have experienced that pressure from  
12 security staff?

13 A. Yes.

14 Q. Are you aware of the possibility of  
15 pressure from inmates on medical staff to provide  
16 diagnoses?

17 A. Yes.

18 Q. Do you have any experience with that?

19 A. Yes.

20 Q. Is that personal experiences as well?

21 A. Yes.

22 Q. Do you know of any skin disorders that  
23 have actually been transmitted through the use of  
24 security mitts, either at New York City DOC or  
25 elsewhere?

M. Puisis

1  
2 A. You know, I've never seen them used at  
3 all, but I can tell you that I've seen impetigo  
4 transmitted inmate to inmate from contact, and it  
5 wasn't clear whether it was transmitted by contact  
6 via an object or whether it was transmitted directly  
7 from skin to skin.

8 Q. What is impetigo?

9 A. It's a staff skin infection and it's  
10 commonly transmitted by contact. Children get it.  
11 Wrestlers get it. And whether the bacteria can live  
12 inside the mitt is something I don't know, but it  
13 is, you know, likely.

14 And so, I think, that's why the  
15 manufacturer probably recommends sanitizing it.

16 Plus, you know, there are other bacteria  
17 that could be transmitted.

18 Q. Is there a reason that you didn't mention  
19 in your report the possibility of inmate pressure on  
20 medical staff?

21 A. In what context?

22 Q. Well, in the same context that you  
23 mentioned security staff pressure on medical staff.

24 A. No, there is no particular reason.

25 Q. Do you think age is a factor in whether



1 M. Puisis

2 someone should be placed in Red ID restraints?

3 A. Yes.

4 Q. Why?

5 A. With age, people lose agility. It is  
6 well-known, for example, that at extreme ages people  
7 fall more.

8 Q. You mean elderly people?

9 A. Elderly people, right. I would hope they  
10 don't put elderly people in restraints.

11 I don't know -- well, I would assume that  
12 you are not going to have an 80-year-old who is  
13 going to be in Red ID restraints, but I don't know  
14 that, so I bring up elderly in the event that  
15 situation does come up, because I think to me it's  
16 an obvious problem.

17 I don't know what the city would do, and  
18 if the city doesn't intend to put people in who are  
19 extremely elderly, some of this is moot, but I do  
20 believe people over 45, I'll pick that as a  
21 benchmark, will probably have more problems because  
22 after that age people are at higher risk for  
23 myocardial infarction.

24 I think that any stresses that may occur  
25 due to anxiety or anything that could raise the

1 M. Puisis

2 pulse rate, for instance, being in these restraints,  
3 I'd be anxious to tell you the truth, and it raises  
4 the heart rate, I think that it's not a stretch to  
5 believe that it would be more of a problem over 45.

6 I tend to think in the back of my mind  
7 that most of the indications for putting people in  
8 restraints are relating to violence, and it just  
9 seems more common in the younger population, so I'm  
10 suspecting you are not going to see very many people  
11 over that age.

12 For example, if I were doing a policy,  
13 I'd ask a different series of questions of people  
14 over 45. I don't even believe you are going to see  
15 very many people over that age, but I bring it up  
16 because of the possibility and because I don't know  
17 the exact demographics we are talking about.

18 Q. Would you say that 45 then is a cutoff  
19 age that you would suggest to either prohibit Red ID  
20 restraints or limit them?

21 A. The way I would do it is anyone over 45  
22 should have a physical examination encounter with a  
23 physician, and that that examination would  
24 appropriately address questions of risk factor  
25 assessment.

1 M. Puisis

2 An examination that would assist in that  
3 determination, and I think you could make a cutoff  
4 over a certain age, I'm guessing here 55, somewhere  
5 between 55 and 60 where you just wouldn't put people  
6 in that type of restraint.

7 Once again, that's very arbitrary because  
8 some people at the age of 65 are in great shape and  
9 very agile.

10 Q. Is edema of the upper extremities a  
11 common clinical finding?

12 A. Edema is a condition that occurs in liver  
13 disease, heart disease and kidney disease and other  
14 diseases, but those are the three commonest ones and  
15 is manifested in dependent parts of the body, or  
16 parts that are immobile or parts that suffer  
17 constriction.

18 What I mean by that is that edema is  
19 gravity-related, or the manifestations of it are  
20 gravity-related. For example, edema most often  
21 manifests in the feet because it's the lowest part  
22 of the body and the fluid goes to the lowest part of  
23 the body.

24 But in people who are lying flat, for  
25 example, they become edematous in their back, and

1 M. Puisis

2 when people who have edema wear stockings, you can  
3 see the constriction or you can literally see the  
4 edema in the area where the elastic in the stocking  
5 has compressed the skin.

6 So edema will be manifested in areas that  
7 become dependent or constricted.

8 And it is most commonly seen in the feet  
9 because that's the area that is dependent, but it  
10 will occur in any part of the body where these  
11 conditions occur.

12 Q. Do you believe that all epileptics in Red  
13 ID status should have cuffing modifications so they  
14 are not rear-cuffed?

15 A. I think so. I believe that people who  
16 have epilepsy or alcohol withdrawal seizures are at  
17 much higher risk of injury if they are placed in  
18 these restraints, principally because if they have a  
19 seizure while they are restrained, there is a  
20 greater likelihood of harm --

21 Q. What about --

22 A. -- and the harm is a result of either a  
23 fall and an injury to their head or other body part.

24 Or in the -- in an actual seizure, there  
25 is both a tonic phase and a clonic phase.

1 M. Puisis

2 A tonic phase is a phase where the  
3 muscles are contracted continually, and the clonic  
4 phase is where they are repetitively jerking.

5 These phases result in extreme  
6 contraction and a jerking of the muscles. And if  
7 those events recur while someone is in an unnatural  
8 position, that is with their arms behind your back,  
9 I would suspect that some of them can even break  
10 bones.

11 And I think it would be dangerous to do  
12 that, so unfortunately, the whole area of epilepsy  
13 is one where there is some amount of gaming and also  
14 it is an area that physicians typically do not take  
15 very good histories of, so it's a tough one, but I  
16 believe that an attempt should be made to identify  
17 those people who have true epilepsy.

18 Q. When you say "true epilepsy, "do you mean  
19 grand mal epilepsy?

20 A. Yes, but any type of epilepsy.

21 Q. Petty mal seizures do not involve any  
22 physical movement of the limbs, right?

23 A. It has to also do with the level of  
24 consciousness. If someone becomes unconscious,  
25 whether they sustain a tonic clonic phase is

1 M. Puisis

2 immaterial if they are walking up the stairs and  
3 they become unconscious, they can fall.

4 So the fall part I think is related to  
5 the, you know, to all seizures, and the tonic clonic  
6 phase is related to the injury, you know, that could  
7 occur, you know, against a fixed restraint.

8 Q. What about if the epilepsy is controlled  
9 by medication?

10 A. It's a little dicey. I think what you  
11 are dealing with is a risk benefit ratio, and the  
12 absolute necessity of security of putting these  
13 people in a restraint. And I don't have a good  
14 answer to that.

15 I suppose you can have a policy where if  
16 they haven't had a restraint in a year or so --

17 Q. You mean a seizure?

18 A. I mean a seizure, you could say that they  
19 would be cleared, but there is a risk benefit that I  
20 think security would have to take the liability for.

21 Q. If the intake process were changed as you  
22 recommended, do you believe that a chart review  
23 would be sufficient to determine in most cases  
24 whether there was a medical contraindication to Red  
25 ID restraints and in some cases whether a physical

1 M. Puisis

2 examination was warranted?

3 A. Under the circumstances that exist, I  
4 would probably recommend a unique form that they did  
5 a history and a brief face-on-face encounter with  
6 each individual and be done with it. I think  
7 that's the best.

8 Q. At the time of initial placement in Red  
9 ID status?

10 A. Right.

11 In other words, you don't do it for  
12 everybody at intake, but people who have Red ID you  
13 have a form, and the doctor sees them and goes  
14 through a questionnaire, knows what it's about, does  
15 it, and you are done with it.

16 If the requirement is, however, to do the  
17 screening based on the intake chart, I think it's a  
18 little more complicated. I think you would need to  
19 place a series of questions in the form and then  
20 educate the staff about the meaning of those  
21 questions.

22 The problem with that approach is that  
23 you have people, I mean physicians coming and going,  
24 people are fired and they leave, they resign, new  
25 physicians come, there is turnover in staff, and the

1 M. Puisis

2 process of reorienting them to that particular thing  
3 is a little tougher than it is if they have a unique  
4 form.

5 Because even if someone is a new  
6 physician, they are given a unique form that says  
7 here, evaluate this person for Red ID status. They  
8 would then obviously ask what is Red ID status.

9 Whereas if they were doing it on an  
10 intake form, they wouldn't ask that question. They  
11 would just do the histories as a matter of course  
12 and they would lose the perspective of equating that  
13 person specifically for that event.

14 And from an administrative point of view,  
15 I think it would be a little bit of a hassle either  
16 way, but I think in the long run you would be better  
17 served by getting this form done and just doing it.

18 Q. On page 7 of your report at the very end  
19 you say you would require a physical examination  
20 prior to restraining and each month thereafter.

21 Why do you recommend a physical  
22 examination every month or do you still agree with  
23 that?

24 A. I'm not sure if an actual physical exam  
25 is necessary each month, but I think in some cases



M. Puisis

it is.

For example, those individuals who have possible risk factors assuming a physician evaluates them, determines they are clear for Red ID, and at a monthly basis it would be incumbent upon a physician to reassess to determine whether in fact some harm had ensued because of these risk factors.

Q. Don't you think if some harm had ensued, it would have been brought to the attention of the medical staff and been a notation in the chart?

A. I honestly don't think that happens. Sometimes it does, but these are large facilities and stuff happens. And I think it's hard to get seen sometimes, and I just don't think it's a reliable way to follow up.

Q. So you would recommend physical examinations for inmates with risk factors who have nonetheless been cleared for rear-cuffing; is that what you are saying?

A. I think at a minimum, yes. I think it wouldn't hurt to redo people monthly, but it would be, I admit, difficult administratively and I think you can make an argument that you know it may not be practical.

1 M. Puisis

2 Q. When you say at a minimum, do you think  
3 that would be adequate?

4 A. At a minimum for what?

5 Q. At a minimum, the individuals who had  
6 risk factors were nonetheless cleared --

7 A. Yes, yes, I do.

8 MS. HUTNER: Let's take a break.

9 (Recess taken.)

10 MS. HUTNER: Let's mark these as  
11 exhibits.

12 (Puisis Exhibit Numbers 4, 5 and 6  
13 were marked for identification.)

14 Q. You wanted to clarify something?

15 A. I wanted to clarify some points I made  
16 about policy recommendations for Red ID restraints  
17 in terms of the two-hour interval I mentioned out of  
18 restraint.

19 I would also recommend that during times  
20 when individuals are held in pens in which they are  
21 the only occupant, that the restraints be removed.

22 Once again, if there is a security  
23 imperative that they be in restraints in those pens,  
24 that may be a different story, but provided that  
25 there is not, I think that would be extremely

1 M. Puisis

2 helpful in reducing the possible risk.

3 Q. Do you believe that having a control  
4 group is an important component of scientific  
5 studies?

6 A. Yes.

7 Q. Do you agree also that correlation does  
8 not necessarily imply causality?

9 A. I do.

10 Q. You cited three articles in your report  
11 which have now been placed before you as Exhibits 4,  
12 5 and 6 respectively to this deposition.

13 Do you believe that these articles are  
14 instructive in assessing the medical impacts of the  
15 Red ID restraints?

16 A. Yes.

17 Q. Let's look at Exhibit 4 first, which is  
18 the French study. This study discusses the possible  
19 neurological effects of handcuffs, right?

20 A. Yes.

21 Q. It doesn't conclude absolutely that the  
22 neurological symptoms are related to handcuffing;  
23 isn't that right?

24 A. Well, it's so highly suggestive as to --  
25 it would be difficult to draw the conclusions.

1 M. Puisis

2 I mean, there were some people who may  
3 have had prior injuries, but for the most part,  
4 these were a group of people who had antecedent  
5 cuffing and had neuropathies that were likely caused  
6 by cuffing and -- so in that sense, it's highly  
7 suggestive.

8 Q. When it says -- the first sentence says,  
9 "The application of handcuffs may result in  
10 compression neuropathies at the wrist."

11 You read that to be highly suggestive, is  
12 that your term, that the application of handcuffs  
13 does result in these neuropathies?

14 A. Yes.

15 Q. Incidentally, the portion at the top of  
16 the article in smaller font that says "abstract,"  
17 is that the sort of abstract that you read before  
18 writing your declaration?

19 A. Yes, I suppose, unless there is a  
20 different -- I mean, I don't have what I read in  
21 front of me.

22 Q. But it would have looked something like  
23 that?

24 A. Yes, sure.

25 Q. There were limitations in this study,

1 M. Puisis

2 right, for instance, the authors said they could not  
3 estimate the tightness of the restraints, right?

4 A. Yes.

5 Q. You didn't mention that in your report;  
6 did you?

7 A. I don't believe I did.

8 Q. The tightness of the restraint could have  
9 been a significant factor in whether or not there  
10 was a resulting neuropathy from the handcuffs; don't  
11 you think so?

12 A. Yes, I think it is, yes, absolutely.

13 Q. There were no electrophysiological  
14 studies in this report, right?

15 A. That's correct.

16 Q. There was no clinical follow-up, either,  
17 right?

18 A. That's correct.

19 Q. So it's possible that the instances of  
20 numbness, which were in half of the subjects with  
21 symptoms at all, might all have resolved by  
22 themselves with time?

23 A. That's correct.

24 Q. You didn't mention any of those things in  
25 your report; did you?

1 M. Puisis

2 A. No.

3 Q. In your report at page 4, in case you  
4 want to look at it, you compare the 6.3 percent of  
5 prisoners with symptoms in this article, Exhibit 4,  
6 the chariot study, to 100 percent of prisoners with  
7 neurological symptoms that you say you observed on  
8 your tour. Is that right?

9 A. They are consecutive sentences, yes.

10 Q. What do you mean by this compares to 100  
11 percent of prisoners?

12 A. Let me be more specific. The French  
13 study takes a subset of patients who were  
14 incarcerated. It takes all those people and it  
15 questions for symptoms and then it evaluates, and in  
16 a clinical exam find that 6.3 percent have findings  
17 that indicate neuropathy.

18 Now, it's not an apples-to-apples  
19 comparison, so I'm talking to inmates who are in a  
20 pen in restraints. They are telling me they have  
21 symptoms. If they were examined, what percentage  
22 would have neuropathy. And I frankly don't know  
23 the answer to that.

24 But 100 percent had symptoms, and the  
25 group --

1 M. Puisis

2 Q. I just want to clarify when you say 100  
3 percent had symptoms, you mean 100 percent reported  
4 some symptom to you?

5 A. I think that means the same thing.

6 Q. I just want to check.

7 A. And so it's not an apples-to-apples  
8 comparison, and I want to be clear about that.

9 I'm not trying to fool you in any way.  
10 It's not an identical study.

11 I think it would be interesting to study  
12 a large group of people who are in Red ID and find  
13 out what percent from an unbiased observer had  
14 actual findings.

15 The question that I think none of us can  
16 answer is whether this study has applicability in  
17 terms of this situation, in terms of, you know,  
18 using the same statistics, but it's the only  
19 evidence that we have.

20 Q. If the only evidence we had  
21 hypothetically were not applicable, then we wouldn't  
22 have any evidence; isn't that right?

23 A. We would have opinion of experts, that's  
24 correct.

25 Q. But we wouldn't have evidence from the

M. Puisis

literature?

A. Right.

Q. You didn't ask any of the inmates whom you saw on your tour whether they had preexisting conditions that may have affected their reaction to the restraints; did you?

A. No, I didn't.

Q. Whether they had taken psychotropic substances?

A. No, I didn't.

Q. Did you ask them whether the restraints hurt?

A. I believe I did.

Q. What answer did you expect to that question?

A. I don't think I had an expectation, but they said yes.

Q. Let's look at Exhibit 5, which is the study by Grant N. Cook.

You call this the Emory study, right?

A. Where is your reference?

Q. Two-thirds of the way down the full paragraph on page 4?

A. Yes, I believe that's true.



1 M. Puisis

2 Q. You cited as reporting that  
3 handcuff-related nerve injuries can be severe and  
4 permanent; is that correct?

5 A. That's correct.

6 Q. This study particularly concerns  
7 overtightened handcuffs; isn't that right?

8 A. Correct.

9 Q. You are not claiming that the problems  
10 that you say might result from Red ID restraints are  
11 caused by overtightening cuffs; are you?

12 A. No, but I would keep in mind that the  
13 prolonged duration of cuffing, I believe, will  
14 result in people attempting to find a more  
15 comfortable position and will result in people in  
16 some fashion struggling or attempting to move their  
17 extremity, and that that movement, that struggle,  
18 that attempt to get the cuff off -- and in fact Mr.  
19 Conry said people are continually trying to get  
20 these things off, and they get the mitts off and  
21 they are moving, et cetera, that that movement will  
22 be the equivalent of -- in terms of its ability to  
23 put pressure on the nerve, an excessively tightened  
24 cuff.

25 Q. Have you seen any studies or articles

1 M. Puisis

2 that said that?

3 A. The study we just talked about said that.

4 Q. Where?

5 A. Let me look.

6 Q. Sure.

7 A. Can I read the section that I'm thinking  
8 about?

9 Q. Sure, if you can tell me where you are.

10 A. It's on the second page of the article by  
11 Patrick Chariot.

12 Second page, second column, beginning  
13 second sentence, "It has been suggested that  
14 handcuffed individuals taking alcohol or other  
15 psychotropic substances fail to realize that their  
16 handcuffs have been applied too tightly and may  
17 continue to struggle with consequent nerve injury."

18 My reading of that is that it's not  
19 necessarily the alcohol or psychotropic use. It's  
20 the struggle against the cuff that is additive to  
21 the overtightening. And I think that has an  
22 effect, yes.

23 Q. Don't you think that this sentence that  
24 you just read from the Chariot article talks about a  
25 situation where the handcuffs have, in fact, been

M. Puisis

applied too tightly?

A. My interpretation of that sentence is that the struggle results in an additive effect.

Q. Can you answer my question?

A. Your question is?

Q. This sentence says that, "These individuals failed to realize that their handcuffs have been applied too tightly."

Doesn't that mean to you that the handcuffs have been apply too tightly?

A. That's what the author says.

Q. So if the handcuffs have not been applied too tightly, then we have a different situation than the one described here by Chariot?

A. I think not. I think the struggle itself is a factor.

Q. You think that Chariot says that?

A. I think it does. I think the struggle leads to people putting pressure on the nerve through trying to move the hand. I'll give you an example.

If I'm in Red ID restraints and trying to move my hand against a fixed restraint, I will put pressure on the area against which the cuff is

1 M. Puisis

2 hitting the hand. And I think that's equivalent of  
3 an overtightened situation. And to the extent that  
4 that struggle is continuous, it puts pressure on  
5 whatever structures it puts pressure against.

6 Q. Wouldn't that be the same, whether the  
7 cuffs were in front or back if they are struggling?

8 A. Yes, I think it would, but I think that  
9 the rear cuff is more comfortable, if you ask me,  
10 and I think that there is more struggling.

11 In fact, Mr. Conry indicated to me he  
12 said people are always trying to get out of these  
13 things, and in fact they do. He mentioned they try  
14 to take the mitts off and they do, and in fact one  
15 of the inmates we watched was continuously  
16 struggling to get the mitt off as we were talking to  
17 him.

18 Q. How many inmates did you observe on your  
19 tour approximately?

20 A. Six, eight, ten in the pens, and there  
21 were a whole busload getting off. I don't know how  
22 many were getting off the bus. I think you were  
23 there a dozen, half dozen, two dozen, I don't  
24 remember.

25 Q. You saw one who was struggling, right?

1 M. Puisis

2 A. I did, yes.

3 Q. In fact, in the Grant article, isn't  
4 there --

5 MS. FREEMAN: Can you refer to these by  
6 exhibit number?

7 MS. HUTNER: Sure, Exhibit 5.

8 Q. Isn't there particular concern expressed  
9 about the use of handcuffs that continue to tighten  
10 after being placed on the prisoner?

11 A. Yes.

12 Q. Are you aware that the New York City  
13 Department of Correction uses double-locking  
14 handcuffs that do not tighten when the inmates  
15 struggle?

16 A. I wasn't aware.

17 Q. Let's say that they do.

18 Wouldn't that eliminate the concern about  
19 handcuffs that tighten more as a prisoner struggles?

20 A. I don't think it changes my opinion. I  
21 think that if you were to tell me that they use a  
22 ratcheted cuff it would be worse, but I still think  
23 that the fact that every inmate I talked to  
24 complained of numbness and the fact that  
25 overtightened handcuffs can cause neuropathy and the

1 M. Puisis

2 struggling against them can cause neuropathy, that  
3 there is sufficient basis to support my opinion.

4 Q. Are you suggesting that if an inmate  
5 injures himself because he is struggling against the  
6 cuffs, that the cuffs should be removed because he  
7 is injured?

8 A. You are going to have to repeat that.  
9 I'm sorry.

10 Q. Sure.

11 Would you say that an inmate whose injury  
12 is caused by the inmate's struggle against the  
13 handcuffs should have the handcuffs removed or his  
14 restraints modified?

15 A. You are supposing that there is an injury  
16 that is identified, and I'm not sure, does that  
17 pertain to a preexisting injury that the doctors  
18 know about?

19 Q. No. I'm asking whether in a case where  
20 the inmate's only injury is caused by the inmate's  
21 struggle against the cuffs.

22 A. How would you know that?

23 Q. How would you know whether it isn't?

24 A. Well, you don't, but I don't understand  
25 what the difference is and I'm not sure what the --

1 M. Puisis

2 therefore what the question means.

3 Q. Okay.

4 A. I'm not sure where we are going.

5 Q. That's all right, I'll move on.

6 In the Grant study, which is Exhibit 5,  
7 the authors of that article did not consider a nerve  
8 abnormal based solely on an inmate complaint; isn't  
9 that right? I believe this is at 934.

10 A. I don't recall. If you tell me that  
11 that's true, I would agree for the purpose of moving  
12 on.

13 Q. Actually, they considered a nerve  
14 abnormal only if they had electrophysiological data  
15 to support the conclusion, or if the patient  
16 complained of numbness, weakness or paresthesia were  
17 supported by the result of a physical examination?

18 A. I think they had two groups. They had --  
19 of the people who were evaluated, they did  
20 electrodiagnostic studies on a certain percent of  
21 them and they had symptomatic evidence in another  
22 group, and then they had electrophysiological  
23 evidence in another.

24 Q. Paraesthesia is a sensation of pricking,  
25 tingling or creeping on the skin, right?

1 M. Puisis

2 A. More or less.

3 Q. Isn't that kind of what again we lay  
4 persons refer to as pins and needles?

5 A. I think that's one. I have not had pins  
6 and needles sensations as you are describing, but I  
7 don't believe I've had all the kinds of paresthesias  
8 that inmates complain about, because there are some  
9 that's just continual pain. There is some that are  
10 loss of sensation. There are some that's altered  
11 sensation, and in talking to a large number of  
12 patients about these things, it appears there is a  
13 wide variety of sensations that come under the  
14 rubric of paresthesias, but pins and needles is ones  
15 we understand.

16 Q. Let's look at Exhibit 6. This deals with  
17 just two particular cases; isn't that right?

18 A. Yes.

19 Q. It describes those cases as unusual;  
20 correct?

21 A. That's correct.

22 Q. And the focus of this article is really  
23 on identifying which nerve is identified when there  
24 is neuropathy from handcuffing?

25 A. Right.



1 M. Puisis

2 Q. It doesn't draw conclusions about the  
3 likelihood of neuropathy?

4 A. I don't believe it did.

5 Q. In fact, it states that compression of  
6 the sensory branch of the radial nerve may be  
7 harmless, right?

8 A. I think it does say that, yes.

9 Q. It recommends not overtightening cuffs;  
10 correct?

11 A. It does.

12 MS. HUTNER: Let's mark this as Exhibit  
13 7.

14 (Puisis Exhibit Number 7 was  
15 marked for identification.)

16 Q. Could you look at Exhibit 7, which is a  
17 document that was provided to me by Ms. Freeman this  
18 morning. Tell me what that is.

19 It was represented to me that you  
20 reviewed this between the time of your report and  
21 the time of this deposition.

22 A. It's a letter to the editor in Neurology  
23 Magazine, it's a medical journal, and the letter is  
24 by Harold Apple, who is a neurologist, and I believe  
25 at the time he was working here at Rikers Island.

1 M. Puisis

2 Q. When was the letter written?

3 A. 1991.

4 Q. You've reviewed this between the time you  
5 wrote your report and today?

6 A. Yes, I did.

7 Q. Did you learn anything from it?

8 A. Well, he worked here in the New York  
9 prison system, and he thought that handcuff  
10 neuropathy, as he said, is a common occurrence and  
11 -- can I just make a comment?

12 Q. Yes.

13 A. You know, I think what this meant to me  
14 relative to your last question about two unusual  
15 cases, let me relate an antidote that I think is a  
16 pro quo.

17 I was a resident at Cook County Hospital  
18 where we saw a lot of TB cases, and I went across  
19 the street to rush Presbyterian Medical Center for  
20 clinical rounds on infectious disease when I was a  
21 resident, and they presented a case of a person that  
22 had an unusual presentation, and in the middle of  
23 the presentation, I said to myself, this person has  
24 TB.

25 I've seen dozens of these at County, and

1 M. Puisis

2 there is no question that this guy has TB. And I'm  
3 sitting there listening, and the case went on and on  
4 and the professor at the Department of Medicine, Stu  
5 Levin was talking.

6 The end result is the person had  
7 tuberculosis, and they said this is an extremely  
8 unusual case. And it was portrayed as being highly  
9 unusual and unique. And the fact of the matter was  
10 this was very common. Everyone at County had seen  
11 dozens of these, because we treat the population  
12 that has it.

13 And the term two unusual cases for people  
14 who work at the hospital is reflective, I think, of  
15 two important issues.

16 Number one, prisoners are not studied  
17 well at all. And there is a lack of investigation  
18 into problems that prisoners have, and that's  
19 related to the way society perceives prisoners.

20 In addition, physicians who do studies  
21 generally do not care for prisoners and so prisoners  
22 are not studied.

23 So Dr. Apple is saying that he works at  
24 Rikers and this is a fairly common occurrence. And  
25 he is writing about people reporting a case of

M. Puisis

neuropathy, because in the literature it's not described. So somebody reports a case and there is -- we found only three articles, the fact of the matter is that people who really work in institutions see this on a fairly regular basis and this is a neurologist, and he is saying this is a fairly common occurrence, so I want to make that comment, because I think this is important.

Q. You think this letter to the editor from Dr. Apple adds weight to what we've marked as 6?

A. I think it adds weight to my entire opinion. I think it speaks to the fact that the condition of neuropathy is something that is not studied and is not evaluated.

In fact, here at Rikers, I am sure -- well, let me rephrase that. I suspect that the inmate who complained to myself and to Mr. Conry about having numb hands was not evaluated as an example.

Q. But you don't know that; do you?

A. I don't know that at all.

Q. This letter to the editor concerns front-cuffed inmates, right?

A. I believe it does, but yes, he refers to

1 M. Puisis

2 the Stone article, and I don't have that, so he is  
3 referring to an article that I do not have, so I'm  
4 not sure what the method of evaluation was.

5 Perhaps if you are willing, I can  
6 research the Stone article and review it for you.

7 Q. I suppose it's too late, but I appreciate  
8 it.

9 MS. HUTNER: Can we mark this as  
10 Exhibit 8?

11 (Puisis Exhibit Number 8 was  
12 marked for identification.)

13 Q. You have in front of you Exhibit 8 in  
14 this deposition, which is a chairman's memorandum  
15 from the New York State Commission on Correction.

16 You reviewed this?

17 A. Yes.

18 Q. For what purpose?

19 A. Because it might be pertinent to this  
20 matter.

21 Q. Do you believe it's pertinent to your  
22 medical opinion?

23 A. Yes, yes --

24 Q. How?

25 A. -- I do.

1 M. Puisis

2 Q. How?

3 A. Well, it's a policy -- it's a memorandum  
4 on mechanical restraints.

5 Q. How does it inform your medical opinion?

6 A. Well, it's from the New York State  
7 Commission on Corrections, and it's to the sheriffs  
8 and jail administrators regarding mechanical  
9 restraints, so I think that's right in the ballpark.

10 Q. How does it inform your medical opinion?

11 A. Well, it makes me aware that there is  
12 awareness on the New York State Commission on  
13 Corrections' part that there needs to be some degree  
14 of prudence and caution in the application of  
15 mechanical restraints, and it gives guidelines on  
16 the use of restraints.

17 Q. Are you aware that the State Commission  
18 has specifically exempted New York City's  
19 transportation of Red ID inmates from that  
20 memorandum?

21 A. I don't --

22 MS. FREEMAN: Objection.

23 A. I don't know that.

24 Q. Does it alter the medical opinion that  
25 you've given?

1 M. Puisis

2 A. No.

3 MS. HUTNER: Let's just mark these as  
4 Exhibits 9 and 10.

5 (Puisis Exhibit Numbers 9 and 10  
6 were marked for identification.)

7 Q. Exhibits 9 and 10 in this deposition,  
8 which have just been marked, are medical charts that  
9 were provided to me this morning by Ms. Freeman with  
10 the representation that you had reviewed these  
11 charts.

12 Exhibit 9 is a medical record for David  
13 Gray.

14 Exhibit 10 is a medical file for Robert  
15 Hall.

16 Did you review those documents?

17 A. Briefly, yes.

18 MS. FREEMAN: I just want the record to  
19 reflect that these documents have previously been  
20 provided to you in discovery.

21 MS. HUTNER: That's fine.

22 Q. What, if anything, did you learn from  
23 either of these medical charts?

24 A. Well, I think it was interesting to  
25 review the charts, because I was able to see the

1 M. Puisis

2 actual forms that are used for intake histories and  
3 the recording of physical examinations, but in  
4 addition to that, I believe on both records there  
5 were issues with the inmate either requesting  
6 modification Red ID status or physicians approving  
7 or giving recommendation for Red ID modification,  
8 and I think they were denied by security or stamped  
9 as such, and I thought that was important.

10 Q. You hadn't seen any intake forms or  
11 physical examination notation forms when you wrote  
12 either your declaration or your report?

13 A. You know, I can't remember, I don't  
14 believe I did. I do not believe I did. The  
15 report maybe, but not the declaration, the  
16 declaration I had not. The report -- I can't  
17 remember.

18 Q. If you had reviewed it before the time  
19 that you wrote your report, would you have noted  
20 that in your report?

21 A. I may have. I don't think I can give  
22 you a good answer to that.

23 Q. How long did you spend reviewing these  
24 records?

25 A. Briefly, maybe 15 minutes.



1 M. Puisis

2 Q. In total?

3 A. 15 minutes, half hour, something like  
4 that, each.

5 MS. HUTNER: I'd like to take a couple  
6 of minutes' break at this point.

7 (Recess taken.)

8 MS. HUTNER: I have no further  
9 questions.

10 (Time noted: 3:47 p.m.)  
11  
12  
13 \_\_\_\_\_  
14  
15

16 Subscribed and sworn to  
17 before me this \_\_\_\_\_ day  
18 of \_\_\_\_\_, 2002.  
19 \_\_\_\_\_

20 Notary Public  
21  
22  
23  
24  
25

C E R T I F I C A T I O N

I, JACKLYN LISI, a Shorthand Reporter and notary public, within and for the State of New York, do hereby certify:

That MICHAEL PUISIS, the witness whose examination is hereinbefore set forth, was first duly sworn by me, and that transcript of said testimony is a true record of the testimony given by said witness.

I further certify that I am not related to any of the parties to this action by blood or marriage, and that I am in no way interested in the outcome of this matter.

IN WITNESS WHEREOF, I have hereunto set my hand this 22<sup>nd</sup> day of May, 2002.

Jacklyn Lisi

JACKLYN LISI

